

Archived Content

Information identified as archived on the Web is for reference, research or recordkeeping purposes. It has not been altered or updated after the date of archiving. Web pages that are archived on the Web are not subject to the Government of Canada Web Standards. As per the [Communications Policy of the Government of Canada](#), you can request alternate formats by [contacting us](#).

Contenu archivé

L'information archivée sur le Web est disponible à des fins de consultation, de recherche ou de tenue de dossiers seulement. Elle n'a été ni modifiée ni mise à jour depuis sa date d'archivage. Les pages archivées sur le Web ne sont pas assujetties aux normes Web du gouvernement du Canada. Conformément à la [Politique de communication du gouvernement du Canada](#), vous pouvez obtenir cette information dans un format de rechange en [communiquant avec nous](#).

IN THE ARBITRATION UNDER THE ARBITRATION RULES OF THE UNITED
NATIONS COMMISSION ON INTERNATIONAL TRADE LAW
AND
THE NORTH AMERICAN FREE TRADE AGREEMENT

----- -x
:
In the Matter of an Arbitration :
Between: :
:
CHEMTURA CORPORATION :
(formerly Crompton Corporation), :
:
Claimant/Investor, :
:
and :
:
THE GOVERNMENT OF CANADA, :
:
Respondent/Party. :
:
----- -x Volume 5

HEARING ON THE MERITS

Monday, September 7, 2009

Government Conference Centre
2 Rideau Street
Centennial Conference Room
Ottawa, Ontario

The hearing in the above-entitled matter came on,
pursuant to notice, at 9:00 a.m. before:

PROF. GABRIELLE KAUFMANN-KOHLER, Presiding Arbitrator

THE HON. CHARLES N. BROWER, Arbitrator

PROF. JAMES R. CRAWFORD, Arbitrator

Secretary to the Tribunal:

DR. JORGE E. VINUALES

Court Reporter:

MR. DAVID A. KASDAN,
Registered Diplomate Reporter (RDR)
Certified Realtime Reporter (CRR)
Worldwide Reporting, LLP
529 14th Street, S.E.
Washington, D.C. 20003
+1 202 544 1903
worldwide.reporting@verizon.net

APPEARANCES:

On behalf of the Claimant/Investor:

MR. GREGORY O. SOMERS
MR. BENJAMIN P. BEDARD
MS. ALISON FITZGERALD
MS. RENÉE THÉRIAULT
Ogilvy Renault, LLP
45 O'Connor Street, Suite 1600
Ottawa, ON K1P 1A4
(613) 780-8661

APPEARANCES: (Continued)

On behalf of the Respondent:

MR. CHRISTOPHE DOUAIRE de BONDY
MR. STEPHEN KURELEK
MS. YASMIN SHAKER
MS. CHRISTINA BEHARRY
MS. CAROLYN ELLIOTT-MAGWOOD
MS. SYLVIE TABET
MR. MARK LUZ
MS. CELINE M. LEVESQUE
Department of Foreign Affairs
and International Trade, Canada
Trade Law Bureau (JLT)
Lester B. Pearson Building
125 Sussex Drive
Ottawa, Ontario K1A 0G2
Canada
(613) 944-0027

C O N T E N T S

WITNESSES:	PAGE
CLAIRE FRANKLIN	
Direct examination by Mr. Douaire de Bondy	1040
Cross-examination by Mr. Somers	1047
Redirect examination by Mr. Douaire de Bondy	1084
Questions from the Tribunal	1086
Recross-examination by Mr. Somers	1096
Redirect examination by Mr. Douaire de Bondy	1101
LUCIO COSTA	
Direct examination by Mr. Kurelec	1108
Cross-examination by Mr. Somers	1109
Redirect examination by Mr. Kurelec	1130
Questions from the Tribunal	1140
Recross-examination by Mr. Somers	1147
JAMES AIDALA	
Direct examination by Mr. Somers	1151
Cross-examination by Mr. Luz	1153
Redirect examination by Mr. Somers	1179
LYNN GOLDMAN	
Direct examination by Ms. Beharry	1184
Cross-examination by Mr. Somers	1184
Redirect examination by Ms. Beharry	1239
Recross-examination by Mr. Somers	1243

1 P R O C E E D I N G S

2 PRESIDENT KAUFMANN-KOHLER: So, good morning to
3 everyone. I hope you had a good Sunday and we'll have an
4 enjoyable Labor Day now. Make it as enjoyable as possible,
5 right.

6 Good morning, Dr. Franklin. Thank you for being here.

7 THE WITNESS: Good morning.

8 CLAIRE FRANKLIN, RESPONDENT;S WITNESS, CALLED

9 PRESIDENT KAUFMANN-KOHLER: And I see before we start
10 with your examination we have received the table that you had
11 asked for a few days ago. That's the document. Well, maybe we
12 should give it a number. You will tell us what number it could
13 bear so we can identify it. It doesn't have to be now, but
14 sometime later.

15 MR. DOUAIRE de BONDY: All right. I can just perhaps
16 add for your convenience that it's--Dr. Costa's Second Report,
17 Tab 22, is the draft agenda of July 30, 2001, which is the
18 document you have on the front page with the handwriting on it
19 on the draft agenda, and that's in the Hearing Bundle at Tab
20 197. And then the document that's now attached to it, which is
21 a table and it says, "prepared by Victoria in preparation for
22 Claire/Marcia Call July 31, 2001," is the attachment, so it
23 could perhaps be simply included alongside the document, in
24 Dr. Costa's Bundle--Dr. Costa's Second Report Tab 22. It
25 follows.

09:00 1 PRESIDENT KAUFMANN-KOHLER: So, it is the same
2 document like the one attached to Tab 22 of Dr. Costa--

3 MR. DOUAIRE de BONDY: Yes, the first--the draft
4 agenda is, and then the table was the missing table that the
5 Claimant had requested that follows.

6 And as you see, we also provided a second version of
7 this table. This first version was one that we were able to
8 locate in the PMRA's internal paper files, and then we went
9 back to our database, which has electronic copies of all the
10 documents relevant to the matter from PMRA, and found a second
11 version of the table, which is this one without the handwriting
12 on the top of it. And as far as I can see, the only difference
13 is under occupational exposure commercial seed treatment, which
14 is on the first page, the third box from the bottom under main
15 issues or status, the sentence which is incomplete in the first
16 version with the handwriting is completed on that second.

17 I just had a few other points to make about this. One
18 was if the Tribunal will recall, on February the 2nd, when
19 requests responding to or rather on February 16th, when the
20 Claimant responded to Canada's initial production, it raised a
21 number of what it described as, I believe, deficiencies in our
22 production in the sense of missing bits of letters, whose
23 handwriting this was, could you please provide this table and
24 so on, and this was not among their requests. That's the first
25 point.

09:02 1 The second point is simply to explain why it wasn't
2 produced. The document, that version that was in our database
3 actually didn't have a date on it and wasn't in the electronic
4 database, which contains thousands of documents electronically
5 linked to the table or agenda for the meeting, which is why
6 otherwise we would have certainly produced it, and it was
7 missed.

8 And the third and final point is simply that if you
9 look at the version from our electronic database, this is the
10 one "Lindane Summary of PMRA/EPA Issues," without handwriting
11 at the top, you see at the bottom left-hand corner of that
12 document an electronic coding which says "EVHCA Tip," and then
13 there is some numbering, and that tells me that these--this
14 document formed part of a series of documents that were pulled
15 together by PMRA in response to access to information requests
16 and then provided to us to form part of our database of
17 documents, our base de données.

18 And so, what I was left wondering was, was this
19 document not already produced to the Claimant at some point in
20 the near or more distant past in connection with an access to
21 information request. I wasn't able to determine that over the
22 weekend simply because it's Labor Day weekend, but the Claimant
23 might be able to illuminate us on that point.

24 PRESIDENT KAUFMANN-KOHLER: Fine. Thank you very much
25 for having done all this work researching this document.

09:04 1 Any comments on the Claimant's side on this?

2 MR. SOMERS: Just that the document which is
3 associated with the draft agenda, as counsel has pointed out,
4 Dr. Costa, number two, Tab 22, the table was not accompanying
5 that exhibit. So, if we had been given it, which I'm not aware
6 of, it would have been an orphan document. We wouldn't have
7 known it's the table that's referred to in this document. So,
8 a long trip to come back to thank you. We are grateful for
9 Canada giving us the document.

10 PRESIDENT KAUFMANN-KOHLER: Fine.

11 MR. SOMERS: I have one other matter before, and it's
12 not to obtain a resolution necessarily at this time, but to put
13 the Tribunal on notice that we're inquiring about
14 whether--there's some ambiguity in the schedule as to whether
15 there will be actual Closing Argument on Thursday or, in fact,
16 if the Tribunal would prefer to have Post-Hearing Briefs or
17 some combination of those two things. We're beginning to put
18 all the information together to attempt to assemble a Closing
19 Argument that could be delivered in two hours. There is an
20 immense amount of material. Our, and I'm sure Canada's efforts
21 as well, could be better focused if it we knew whether the
22 Tribunal would be asking for formal closing statements or
23 Post-Hearing Briefs.

24 And I guess just to say it on Claimant's part, a
25 strong preference, just in the interest of coherence and

09:06 1 clarity, would be for Post-Hearing Briefs to amass and organize
2 the immense amount of not necessarily aggregated material and
3 the testimony and in the documents that we have had in the
4 record to date.

5 PRESIDENT KAUFMANN-KOHLER: We need to have a
6 procedural discussion on this, and I appreciate that it would
7 help you to have it not too late. I can say, and I am speaking
8 under the control of my co-Arbitrators, that the Tribunal
9 certainly would appreciate Post-Hearing Submissions simply
10 because there is a lot of evidence, part of it which is rather
11 technical that will have to be gathered by the end of this
12 hearing, and it will help us a lot if you could systematize
13 this in Post-Hearing Submissions.

14 But I'm saying this for your consideration, and maybe
15 you can discuss it among yourselves. You can have only
16 Post-Hearing Briefs, or you can have some Closing Argument and
17 Post-Hearing Briefs. Obviously, we can discuss this.

18 I'm not always sure that post-hearing oral--that oral
19 submissions make sense in addition to written submissions
20 because you improvise more or less your oral submissions, and
21 then you do the real submission in writing, so--but some
22 Parties like to have the opportunity for some closing oral
23 statement.

24 So can we--I would suggest we leave this aside now,
25 you think about it, and maybe we schedule discussion on this

09:07 1 late afternoon before we close today. It gives us--it gives
2 you time to think about it and gives the Tribunal time to think
3 about it as well over the breaks. Does that make sense?

4 MR. SOMERS: Sure. Yes.

5 PRESIDENT KAUFMANN-KOHLER: Good.

6 Anything else that you wish to raise now?

7 MR. SOMERS: Not here.

8 PRESIDENT KAUFMANN-KOHLER: Fine.

9 So, then, Dr. Franklin, thank you for your patience.

10 For the record, can you please confirm that you're
11 Claire Franklin.

12 THE WITNESS: Yes, I am.

13 PRESIDENT KAUFMANN-KOHLER: You are currently a
14 faculty member at the Cyprus International Institute at Harvard
15 School of Public Health; is that right?

16 THE WITNESS: That's correct.

17 PRESIDENT KAUFMANN-KOHLER: You've held positions at
18 the PMRA during the time that we are interested in here, and we
19 have read this in your affidavits.

20 You gave two affidavits?

21 THE WITNESS: Yes.

22 PRESIDENT KAUFMANN-KOHLER: You're heard as a witness,
23 and you know that as a witness you are under a duty to tell us
24 the truth.

25 Can I ask you to confirm this by reading into the

09:08 1 record the Witness Declaration that you have in front of you,
2 please.

3 THE WITNESS: Certainly.

4 I'm aware that, in my examination, I must tell the
5 truth. I am also aware that any false testimony may produce
6 severe legal consequences for me.

7 PRESIDENT KAUFMANN-KOHLER: Thank you.

8 You know how we will proceed. You will be asked some
9 introductory questions by Canada's counsel, and then we will
10 turn to Chemtura's counsel for cross-examination.

11 Mr. Douaire de Bondy.

12 MR. DOUAIRE de BONDY: Thank you, Madam Chair.

13 DIRECT EXAMINATION

14 BY MR. DOUAIRE de BONDY:

15 Q. Good morning, Dr. Franklin.

16 A. Good morning.

17 Q. Dr. Franklin, my questions be brief and will relate to
18 three areas. The first is your meeting with Mr. Ingulli of
19 October 4, 2000. The second relates to the two Gaucho
20 products, the insecticide-only products that Claimant submitted
21 to PMRA for registration in connection with the Voluntary
22 Withdrawal Agreement. And, three, brief questions about the
23 Board of Review appointment process.

24 Now, on my first topic concerning this October 4th,
25 meeting, October 4th, 2000, can you confirm whether you

09:09 1 attended a meeting with Mr. Ingulli on October 4th, 2000?

2 A. Yes, I was at that meeting.

3 Q. And that meeting was arranged at whose request?

4 A. It was arranged in response to a letter that
5 Mr. Ingulli had sent in July, the previous July, requesting a
6 meeting.

7 Q. At the meeting, did you discuss issues relating to
8 PMRA's ongoing scientific review of lindane?

9 A. Yes. There were some issues raised and discussed.

10 Q. And did you raise the issue of worker exposure in your
11 discussions at the--

12 A. I did.

13 Q. What did you say about worker exposure?

14 A. Well, my intent was to discuss with Mr. Ingulli and
15 other members of his staff that we were aware that steps had
16 been taken in the United Kingdom to remove lindane, and the
17 basis was occupational health.

18 We were also aware that in the E.U. there was a
19 re-evaluation going on, and that Austria was the Rapporteur
20 country, and occupational health seemed to be arising also as
21 an issue.

22 And the U.K. took action in '99, so that would have
23 been done prior to the meeting that we had with Mr. Ingulli.

24 Q. Now, Mr. Ingulli suggested in his testimony before the
25 Board of Review that worker exposure was only raised in passing

09:11 1 at this October 4th, 2000 meeting, and that you didn't signal
2 that the PMRA had any particular concern about this issue in
3 connection with the ongoing Special Review. Do you agree?

4 A. Well, my recollection of the meeting is that it was
5 more than a just in passing discussion. The issue, of course,
6 is when I would be at meetings like that, the intent would not
7 be a technical discussion of the science specifically involved,
8 so that I think it's fair to say that most companies would
9 understand if I had raised it that it had worked its way up and
10 that I was familiar with that.

11 We also had a discussion about some of the ways in
12 which the data were gathered. We use in Canada a database
13 that's called FED, and they use a different database in the
14 U.K., and so there was a discussion. It's interesting in the
15 sense that I actually had been involved with establishing that
16 database, and Ed Johnson was involved during his tenure as the
17 head of the Office of Pesticides Programs at EPA.

18 Q. Okay. We will come back to that data issue in a
19 moment, but I just wanted to clarify, Dr. Franklin. Were you
20 called to testify before the Board of Review?

21 A. No, I was not.

22 Q. Was it PMRA's expectation that the Board of Review
23 would be considering issues relating to the science only?

24 A. That's my understanding of the context of a Board of
25 Review, the basis for the regulatory decision.

09:12 1 Q. Since you weren't directly involved in the Special
2 Review, it wasn't expected your testimony would be necessary?

3 A. I--by the time the Board was actually called, I was no
4 longer working at the Agency, so I'm not familiar with the
5 context for that decision.

6 Q. But in any event, the Board of Review never heard your
7 evidence about this--

8 A. They did not, no.

9 Q. Okay. Going back to this data issue you mentioned in
10 passing, Dr. Franklin, if you could turn to Mr. Ingulli's notes
11 of the meeting, this October 4th, 2000 meeting, they're
12 attached to your first Affidavit at Exhibit CF-12. If you
13 could pick up your first Affidavit. It's the red one, I
14 believe, and it's at Tab CF-12, and it's at the last page of
15 CF-12.

16 A. Yes.

17 Q. You're with me. So, at the top it says, "Concerns of
18 PMRA."

19 A. Yes.

20 Q. "Worker exposure."

21 A. Yes.

22 Q. And Mr. Ingulli goes on to write, "Told PMRA that EPA
23 reviewed and accepted seed treat"--looks like seed
24 treat--"worker exposure study."

25 To which study is Mr. Ingulli referring here?

09:14 1 A. He was referring to the study that had been completed
2 in 1992 and done by Mr. Dupree.

3 He also at the meeting did suggest that Mr. Dupree
4 should send the study to PMRA, and two days later that study
5 arrived at PMRA.

6 Q. So, at the meeting of October 4th, 2000, Mr. Ingulli
7 himself was encouraging PMRA to rely on the Dupree study?

8 A. Exactly.

9 Q. And did he specifically mention it?

10 A. Yes.

11 Q. Thank you.

12 MR. SOMERS: Madam Chair, I hate to interrupt, but
13 this is lifted virtually verbatim from the Witness Statement
14 affidavits of the witness. I'm not sure that this is within
15 the bounds of our understanding regarding direct examination,
16 and I'd like to put that up forward as a question.

17 MR. DOUAIRE de BONDY: Thank you. I only have two
18 other brief areas of questions, so it should last no more than
19 five minutes, I should think, if that's all right.

20 PRESIDENT KAUFMANN-KOHLER: That's fine.

21 MR. DOUAIRE de BONDY: All right. Thank you, Madam
22 Chair.

23 BY MR. DOUAIRE de BONDY:

24 Q. Now, I want to turn to my second topic, which is a
25 question about the registration of the two Gauchos. This is

09:15 1 Gaucho 75ST and Gaucho 480. These are the ones that the
2 Claimant had actually submitted to the PMRA for registration in
3 1998.

4 Now, as you know, both of these products were
5 registered by PMRA in October and November 1999.

6 My question to you, Dr. Franklin, is: Were the PMRA
7 registered products that had no use?

8 A. No, they would not. That would be contrary to the
9 legislation.

10 Q. And when you say, "contrary to the legislation," what
11 do you mean?

12 A. The legislation embodies safety, merit, and value, so
13 that if a product either does not provide efficacy, if it
14 doesn't--if it doesn't impact on whatever. So, if the chemical
15 in this case was to kill flea beetles, we would certainly have
16 to know that it would do that. And the other aspect is the
17 economic impact. There should be benefits that flow from the
18 use of a product.

19 Q. So, it's fair to say that in order to register a
20 product, the would-be Registrant has to demonstrate the product
21 can be used and has value?

22 A. That's correct.

23 Q. All right. So, if you registered Gaucho 75ST and
24 Gaucho 480, it was because they both had value?

25 A. That's correct.

09:16 1 Q. Just a specific question, do you know if an
2 insecticide-only Gaucho can be mixed with a fungicide?

3 A. Well, my recollection, and this goes back a number of
4 years, but my recollection is that Vitavax RS, which was the
5 fungicide component, has a statement on the label that says
6 that Gaucho 480 can be mixed with it.

7 Q. Thank you.

8 My third and last area of questions concerns the Board
9 of Review appointment process.

10 What was the Minister asking the PMRA to do in
11 relation to this Board appointment process?

12 A. The Minister--

13 (Pause.)

14 A. The Minister asked PMRA to identify a range of
15 potential candidates for the Board of Review, and that is
16 standard procedure for the way in which this process is done in
17 Canada and under our legislation.

18 Q. Okay. You may recall that in about June of 2002, the
19 Claimant brought an application in Federal Court against the
20 Minister, alleging PMRA's involvement in the appointment
21 process was improper. Do you recall that?

22 A. Yes.

23 Q. And I understand that PMRA suspended its work on the
24 Board's selecting candidates, pending the outcome of the suit.

25 Do you recall what happened in May 2003 regarding the

09:17 1 Claimant's Federal Court application?

2 A. My understanding is that it took that period of time
3 for there to be a hearing, if that's the correct term, and that
4 in--in around that time the Claimant actually withdrew their
5 requests that this be considered.

6 Q. So, the Claimant was agreeing and PMRA could be
7 involved in identifying appropriate--

8 A. That's correct.

9 Q. So, my question was the Claimant was agreeing that
10 PMRA could be involved in identifying appropriate candidates
11 after all; is that correct?

12 A. That's correct.

13 Q. And just to be clear, did any PMRA employees act as
14 members of the Review Board panel?

15 A. Absolutely not.

16 Q. Was there ever any question of this?

17 A. Never.

18 MR. DOUAIRE de BONDY: Thank you. Those are my
19 questions.

20 PRESIDENT KAUFMANN-KOHLER: Thank you.

21 Mr. Somers, now it's your turn.

22 CROSS-EXAMINATION

23 BY MR. SOMERS:

24 Q. Good morning, Dr. Franklin.

25 A. Good morning.

09:18 1 Q. My name is Greg Somers, and I'm asking you a few
2 questions this morning on behalf of Claimant Chemtura.

3 A. Okay.

4 Q. In relation to the questions that your counsel just
5 asked you about the Lindane Review Board, have you read the
6 Lindane Review Board Report?

7 A. I have read it, not in intense detail because I was no
8 longer working in the Government when that review came forward.

9 Q. I take it you don't agree with their conclusions?

10 A. I don't--in what sense specifically?

11 Q. In relation to--

12 A. Which--there were a lot of statements in there.
13 That's a pretty open-ended question, so perhaps you could
14 specify which statements they were making.

15 Q. All right. It's at paragraph 112 of the Report, and
16 this is in the Joint Hearing Bundle, Volume 9 at Tab 275.

17 A. And which?

18 Q. Paragraph 112. I will read it. "Nevertheless"--

19 A. No, sorry, that's not what I have in front of me here.

20 Q. Paragraph 112 under Tab 275.

21 "Nevertheless, the Board is of the view that once PMRA
22 knew its focus in the Special Review was going to be
23 occupational risk, it should have advised Crompton, knowing
24 that the Special Review Announcement made no mention of
25 occupational risk and knowing that all communications it had

09:20 1 with Crompton were primarily in respect of environmental
2 concerns."

3 A. Yes, I disagree with that statement.

4 Q. All right. And I suppose with Paragraph 113 as well.
5 Could you tell me what you think.

6 ARBITRATOR CRAWFORD: I'm sorry. Why do you disagree
7 with this statement?

8 THE WITNESS: It indicates in the statement that once
9 we knew the Special Review was going to be on occupational, it
10 should have advised them, and it made no mention of
11 occupational health.

12 The statement for the announcement of the Special
13 Review was very broad. It indicated a number of things. It
14 indicated that special review was being called because of the
15 issues through the international POPs committees, various
16 groups such as that, and that there were a number of arising
17 issues on health and environment; and it also indicated, to the
18 best of my recollection, that there could be issues that would
19 come up.

20 This was a very broad announcement. It did not
21 preclude focusing on some aspects.

22 ARBITRATOR CRAWFORD: Thank you.

23 THE WITNESS: And I think that it should have advised
24 Crompton. I do think that the meeting that we had both the
25 May 11th meeting that was held with staff from PMRA where, in

09:22 1 fact, occupational health was discussed, and then the meeting
2 that I attended we also raised the issue of occupational
3 health.

4 So, I would think that it would go without saying that
5 there were several signals that came forward that would fit in
6 under the Statement of the Special Review Announcement.

7 BY MR. SOMERS:

8 Q. I'm going now to Paragraph 113.

9 A. Okay.

10 Q. Although the process may be different in respect of
11 new evaluations, as compared to re-evaluations, including
12 Special Reviews, the Board feels that PMRA does have an
13 obligation to advise the Registrant of the focus of its inquiry
14 and review. Proceeding in this manner could have led to a more
15 robust scientific inquiry and assessment."

16 You--

17 A. I guess I'm not--sorry.

18 Q. I'm asking you for your reasons for disagreeing with
19 the Lindane Review Board in connection with the obligation that
20 the Board found to advise Chemtura of the focus of your
21 inquiry.

22 A. Well, I believe that the fact that it was discussed,
23 that the company indicated to us at that meeting that they had
24 a study that that should assuage any of our concerns about
25 occupational health clearly indicates they were well aware of

09:23 1 this as the process was unfolding, so that--and--and it was
2 also within the context of the announcement that indicated.
3 It's sometimes difficult to be very specific at early stages,
4 but I think it was certainly clear that we had reason to take
5 on a special review.

6 Q. Could I ask you to turn to your first Affidavit, the
7 red covered one, and turn to--well, Page 6 of that document,
8 please.

9 A. In the first Affidavit?

10 Q. Yeah.

11 A. Okay.

12 Q. I'm looking at Paragraph 19, and ask you to. I'm just
13 going to read a section from the first part of that.

14 "Given the information that was publicly available
15 about the approach the Canadian Government was taking to the
16 reevaluation of pesticides, any stakeholder as sophisticated as
17 the Claimant should have been aware that international
18 regulatory action taken on lindane prior to or concurrent with
19 the PMRA Special Review would be given serious consideration by
20 the PMRA."

21 I then go to Paragraph 22, and I'm reading from the
22 first part of that: "Information concerning the Canadian
23 Government's approach to its re-evaluation program and the
24 ongoing regulatory activities in Europe was publicly available.
25 As such, the significance of the subject of worker safety

09:25 1 should have been evident to all of the participants.

2 A. Yes.

3 Q. And so, your testimony is that rather than--well,
4 perhaps not rather than--that Chemtura should have been aware
5 from these sorts of international actions that PMRA's focus was
6 going to be on occupational exposure?

7 A. The statement is that the significance of worker
8 safety should have been evident to them, and I think that with
9 regulatory action having been taken in another country, it
10 certainly is a flag, a trigger, that worker exposure, worker
11 safety has been a concern.

12 And they were aware of it. I mean, they were aware of
13 it at the May 11th meeting, as the minutes attest to, and
14 certainly aware of the situation at the October 4th meeting.

15 Q. I would like to explore with you what they were aware
16 of in terms of worker exposure at the meetings.

17 A. Yes.

18 Q. Could you turn to Paragraph 28 of your statement.

19 There, you state, "I also indicated that worker
20 exposure was generally an area of concern to the PMRA because
21 the use pattern for seed treatments in Canada often differed
22 from that of other countries.

23 A. Um-hmm.

24 Q. At least one of those other countries where use
25 patterns differed had been the U.K.?

09:27 1 A. Yes, that's correct.

2 Q. And referring back to Paragraph 20 of your Affidavit--

3 A. Um-hmm.

4 Q. --the last sentence, you state: "The U.K. review led
5 to an announcement in June 1999 of a ban on seed treatment uses
6 because of concerns about worker safety."

7 A. Yes.

8 Q. Are you aware of the differences between use patterns
9 in the U.K. and in Canada at about this time? Do you recall
10 those?

11 A. That would not be the detail, the level of detailed
12 information that I would be familiar with, what their use
13 pattern was versus the Canadian use pattern.

14 Q. But in Paragraph 28 you said, "I also indicated that
15 worker exposure was generally an area of concern to the PMRA
16 because the use pattern for seed treatments in Canada often
17 differed."

18 A. Often differed. That's a very general statement that
19 would not be out of context, that patterns will differ or could
20 differ. That's--

21 Q. As a general proposition?

22 A. Just as a general statement.

23 Q. And so, from that, would Chemtura not have understood
24 that PMRA was concerned about data that would come from other
25 countries in connection with worker exposure that wouldn't

09:28 1 accurately reflect or precisely enough show the kind of worker
2 exposure issues that you'd have to deal with here?

3 A. They might have been, but I think you have to
4 recognize that we were not basing or had no intention of basing
5 our decisions specifically on the fact that it was removed in
6 the U.K., we would automatically remove it in Canada. That's
7 the purpose of doing a review.

8 And that's why in some instances there are different
9 decisions that are arrived at, because something that may be
10 used in one country may not have exactly the same--I mean, the
11 use pattern is what results in exposure, and exposure is really
12 the trigger as to whether you're going to have an unacceptable,
13 potentially have an unacceptable health effect, so it's very
14 important that one understands that.

15 So that the fact that the worker exposure was an issue
16 when it was being raised would be a trigger to say that that's
17 something that attention should be paid to. It's not that it's
18 to be the basis for the specific decision that's taken, so that
19 we would very clearly be reviewing. And the point of the study
20 that Mr. Ingulli indicated that they had done was for the
21 purpose of saying that we have a study that we believe will
22 satisfy any concerns that you might have about worker exposure.

23 There was never any discussion of relying and just
24 taking a decision that was done in one country and then
25 applying it to our situation.

09:30 1 Q. Could I ask you--you're going to need a little help
2 with this. I'm looking at--I'm going to be referring to the
3 Joint Hearing Bundle, and while we're at it, Volumes 2, 3,
4 and 6.

5 (Pause.)

6 A. I think the bundles are here. Now it's to find where
7 in these bundles you want me to be.

8 Q. All right.

9 In Volume 2, it's Tab 41.

10 A. Okay.

11 Q. The document entitled, "Lindane Seed Treatment
12 Update," October 2, 1998.

13 A. Yes.

14 Q. You have it.

15 Do you recognize that document?

16 A. Yes.

17 Q. Did you write it?

18 A. No.

19 Q. Do you know who did?

20 A. No.

21 Q. I wanted to ask you just some questions about it,
22 then.

23 In the second paragraph, it states: "The EPA is
24 concerned about the continuing use of lindane on canola in
25 Canada, apparently with a view to seeking cancellation of the

09:32 1 use."

2 Was that--was that usual, typical, or even occasional
3 for the EPA to be concerned about lindane on canola and seeking
4 or any pesticide and seeking Canada to cancel a particular use?

5 A. I don't think that's what this statement says.

6 Q. Tell me what you think it says.

7 A. They're concerned about the use in Canada, apparently
8 with a view in seeking cancellation.

9 Q. To seeking cancellation; right?

10 A. Yeah, yeah.

11 Q. Seeking cancellation of its use in Canada because
12 they're concerned about the issue?

13 A. In Canada, yes.

14 Q. Is that typical of the EPA to seek cancellation of use
15 as registered in Canada?

16 A. I can't answer. I don't know if that's typical or
17 not. I would think the context of lindane one has to consider
18 is that--lindane was a product that, in fact, most of the uses
19 had been discontinued either voluntarily or withdrawn for all
20 aboveground uses, so that by 1990 there were actually very few
21 uses left. There was enormous international activity because
22 of the long-range transboundary movement of lindane, so I think
23 it's fair to say that all countries were very aware of the
24 challenges with lindane and concerns.

25 And because, of course, you don't have control in one

09:33 1 country as to what is actually used in another country, these
2 transboundary and these international groups are really seeking
3 ways, legal ways, that one could address the movement from one
4 country to another for these kinds of products. So, that
5 statement may simply be reflective of the international
6 activities in seeking ways to mitigate the movement of this, of
7 Persistent Organic Pollutants in the environment.

8 Q. As I read the statement, the EPA is seeking
9 cancellation of the use of lindane on canola in Canada. Do you
10 read it differently?

11 A. Well, they would have no jurisdiction to seek
12 cancellation in Canada.

13 Q. That's my understanding as well, and so that's why I'm
14 asking you.

15 A. Nor would I have jurisdiction to effect a cancellation
16 in the U.S.

17 Q. No, indeed.

18 And the paragraph goes on, "PMRA is not in a position
19 to recommend such action unless there was agreement for
20 concerted action on all Lindane Products with the USEPA.

21 In other words, if EPA would agree with you for
22 concerted action on all Lindane Products, you might well be in
23 a position to recommend canceling the lindane on canola use.

24 A. In a way, I guess I still think that we're not in full
25 agreement on the first sentence because they're concerned about

09:35 1 the use on canola in Canada. The--seeking cancellation of use
2 can be very broad. I mean, the seeking cancellation of lindane
3 use worldwide was actually to mitigate against the
4 transboundary area, so I think that is perhaps evident with the
5 next statement because, in fact, when we're talking about the
6 NARAP--this is an activity similar to the POPs activity where
7 there are ways of seeking out whether or not the movement
8 across borders is something that needs to be addressed, so
9 that--and I think that what we're seeing very clearly is that,
10 unless those steps are gone through, unless we go through the
11 fulsome process that's available to address whether there are
12 issues that there needs to be regulatory action, our point was
13 that we're not in a position to short-circuit those, that we
14 will address these through the processes and particularly
15 NARAPs and after process.

16 Q. I'm going to the third paragraph now which begins:
17 "The resulting proposal has emerged after follow-up to this
18 issue both with the Canola Council of Canada and the EPA
19 staff."

20 And in the third bullet it says, "Commitment between
21 EPA and PMRA to work together to phase out all uses of
22 lindane."

23 A. Right.

24 Well, the only thing I can say about that is this is a
25 very short sort of a bulletized form, and there are a lot of

09:37 1 things that are being discussed in that paragraph. And that
2 statement actually comes right after the voluntary removal one,
3 so it's certainly--could be attributed to that, that the uses
4 of lindane in the products that were being targeted through the
5 Voluntary Withdrawal Agreement.

6 But I think I would refer you back to, if you're
7 looking at that writ large, that, in fact, we have indicated
8 that we would not consider doing anything unless it had been
9 through an appropriate process to see whether it was warranted
10 or not, which was the NARAP process.

11 Q. I'm sorry, could you refer me to where it says that in
12 this document.

13 A. It says in the second sentence, in the paragraph
14 above, "is not in a position to recommend action unless there
15 was agreement. The consideration is a candidate for NARAP is
16 one mechanism for this cooperative action."

17 So, really what we're saying is we need to do this
18 through an appropriate process to see whether or not that's the
19 action that should be taken, and then if the decision through a
20 review such as that is such that cancellation is appropriate
21 that we would work together to ensure that there was a sensible
22 way to have this happening because, if things are phased
23 out--this is all conjectural on the basis of what comes out of
24 that review, but it would certainly not put us in a
25 particularly good position if we were phasing things out at

09:38 1 different stages, given the whole purpose of the NARAP was to
2 get harmonization.

3 Q. But the document says, "a commitment between EPA and
4 PMRA to phase out all uses."

5 A. That's correct. But as I say, this is a very
6 bulletized summary done, I'm presuming, for internal purposes
7 that simply indicates some of these points that are occurring,
8 so I certainly don't read into that that it's a foregone
9 conclusion. We have already stated above that we would only
10 pursue any type of activity subsequent to an appropriate review
11 that would be done through the NARAP process.

12 Q. In fact, the document says, "The consideration of
13 lindane as a candidate for a NARAP under the CEC was identified
14 as one mechanism for this cooperative action."

15 A. Right.

16 Q. Presumably one among alternatives; otherwise, no?

17 A. I don't know.

18 Q. All right.

19 A. I wasn't at the meeting, and I wasn't part of that.

20 Q. I'm sorry, the meeting? What meeting?

21 A. Well, whatever--whatever was the purpose of this memo
22 being written.

23 Q. Oh.

24 The last bullet in that first cluster of bullets is.
25 "Commitment by both agencies not to register any new Lindane

09:40 1 Products."

2 And the fourth bullet in the next set, headed, "Next
3 Steps for PMRA Internal Use," is, "PMRA will hold on any
4 decisions regarding current submissions (lindane-containing
5 products)."

6 So, reading those two suggests that there was a freeze
7 in effect on any either new or pending lindane registration.

8 A. That's--that's standard procedure in regulatory
9 agencies when you're entering into a re-evaluation. The issue
10 is such that if you're adding new uses to something--again, I
11 come back to the fact that one of the critical components in
12 doing this is to know what the exposure is. That's a very key
13 parameter that one has to find out when doing a Risk
14 Assessment, so that if you have people--and these are not
15 uncomplicated re-evaluations. There's an enormous amount of
16 information to go through.

17 So that if you're doing the review and then partway
18 through there is an additional use that's added to it, then all
19 of the calculations that you have done and all of the work that
20 you have done to determine what the exposure is, you have to
21 start over again.

22 So, that's not--something that's not--that's a pretty
23 standard approach to try to allow the review to go as
24 expeditiously as possible. And as you well know, these are
25 complex--complex--reviews that are undertaken.

09:42 1 Q. Could I ask you to turn to the next volume in the
2 pile, probably, which is Volume 3 of 11 of the Joint Hearing
3 Bundle.

4 A. Okay. Which tab?

5 Q. 117.

6 You will no doubt recognize that letter. In fact,
7 under 118, the next one was your response to it.

8 A. Yes, thank you.

9 Q. The first was the letter of October 27, 1999, and
10 Tab 118, letter of the next day from you to Mr. Ingulli.

11 Now, you might be aware that Chemtura relied on this
12 exchange of letters after a series of letters between the two
13 of you as evidence of an understanding between the company and
14 the Agency. I actually wanted to get your--your understanding
15 of item four of Mr. Ingulli's letter to you. Again, this is a
16 PMRA EPA sort of issue. I will read for--"In the event that
17 PMRA determines that lindane is safe to be used on canola as a
18 seed treatment or EPA should issue a canola tolerance or
19 determine that lindane is exempt from requiring a tolerance in
20 canola, Uniroyal"--that's Chemtura--"shall request from PMRA
21 the reinstatement of products and uses of lindane on canola
22 that were voluntarily withdrawn."

23 So, it looks as though, and certainly reads as though,
24 and as I read it, Mr. Ingulli is proposing an either/or
25 scenario that would entitle Uniroyal to reinstatement.

09:44 1 When you agreed with this in your letter--

2 A. Yes, we--

3 Q. Just let me finish the question. It's a transcript
4 issue.

5 A. I'm sorry.

6 Q. When you agreed with this, this--these provisions as
7 you said in your letter under Tab 118, you were aware that you
8 were agreeing to either entitlement of Uniroyal for
9 reinstatement if either Agency authorized a tolerance or
10 permitted registration, if the Special Review resulted in a
11 finding of safety or if the EPA issued a canola tolerance,
12 which would imply the same or similar finding there. Is that
13 right?

14 A. The issue is, I think, what specific aspect of that
15 are you concerned about?

16 Q. All right. If--did this represent an undertaking by
17 the PMRA in agreeing to this, that if the EPA issued a canola
18 tolerance, you would reinstate the use in Canada?

19 A. If the EPA issued a tolerance before we had completed
20 our review, then, yes, we were in a position to be able to
21 reinstate. If we had reviewed it and it was not acceptable,
22 and I think that may well be the point on the page before, that
23 if it has adverse effects, that they would not seek
24 reinstatement.

25 Q. But because your review would not have been completed

09:46 1 at that time?

2 A. Right.

3 Q. You wouldn't have known about adverse effects, so as
4 far as--

5 A. That's correct.

6 And, you know, I think the issue with the request that
7 could it be put back quickly was really something that we
8 determined that if the reason that the companies had withdrawn
9 voluntarily was because of the trade issue, and if we had no
10 reason to believe that there were serious unacceptable effects,
11 that we would be prepared to put it back. Now, that could have
12 been a very short-lived period of time because, of course, once
13 the reviews were done, then the potential would be, but we were
14 signaling in good faith that we would--that there was a
15 mechanism for that to be reversed, but it was extremely
16 contingent upon the outcomes of what the re-evaluations were
17 doing.

18 But it did give that opportunity since they'd
19 voluntarily withdrawn because of trade; that if there were no
20 reason to say that there were unacceptable risks that it could
21 be put back.

22 So, I think in good faith that was the intent with
23 doing that.

24 Q. Thank you.

25 And next, turning to the Joint Hearing Bundle

09:48 1 Volume 6, now, and I will ask you to do that, this document was
2 the document covering that--the one I'm going to refer to, it's
3 Tab 197 of Volume 6 of the Joint Hearing Bundle. I'm sorry.
4 And it contains an agenda that covered the table, which was the
5 object of some fuss this morning before you started your
6 testimony.

7 Do you have that?

8 A. I do, yes.

9 Q. Oh, okay. I'm sorry. Thank you.

10 There are several pages under Tab 197--there's two,
11 I'm sorry, of Volume 6, and they're both called "Draft Agenda,"
12 but there are some differences between the two. Either they
13 reflect two calls or two different versions.

14 A. Work in progress.

15 Q. Right.

16 This is dated July 30, 2001, called Draft Agenda, and
17 the objective--I'm looking at the very first page--objective to
18 discuss major differences in the outcome of PMRA/EPA
19 Assessments. At the bottom of that is a handwritten notation,
20 "we better go first." Do you know what that might be referring
21 to?

22 A. No, I haven't any idea, no.

23 Q. Right. Going to the next page, which is a similar,
24 July 31, 2001, draft agenda, in relation to a PMRA/EPA lindane
25 conference call again to discuss major differences in the

09:50 1 outcome of PMRA/EPA Assessments.

2 Is that a customary practice for the two agencies to
3 discuss differences in Assessment outcomes by teleconference in
4 this way?

5 A. Given that we were working together on this, I think
6 that that would not be anything untoward; that you're really
7 looking to see where you're at, you're looking to see if there
8 are differences, you know. I mean, this is a team. It's like
9 any group of people working together when you're talking about
10 these things that you're looking to see, well, where are you at
11 with this, there is nothing sort of untoward in my view about
12 that kind of discussion with the group of people that are
13 working on something, as has been stated. They're working on
14 this re-evaluation together.

15 Q. In Roman numeral three of the agenda, it says,
16 "differences, see table A. Are they resolvable?"

17 A. Right.

18 Q. So, I'm suggesting to you that it's more than simply
19 comparing notes as to two agencies doing similar things. The
20 two agencies are very, or at least the PMRA is interested in a
21 coordinated outcome.

22 A. Well, I think that this may be because most of the
23 people involved in this are scientists, and there are always
24 points to be discussed when one is using a large amount of
25 scientific information, and you're looking to see is there

09:51 1 something that you missed, is there something that somebody
2 else had? There is that kind of to and fro on discussing
3 what's going on.

4 And, I mean, the point is, maybe they are and maybe
5 they aren't. Maybe somebody found a document that the other
6 group doesn't have, and it would be useful to have that piece
7 of information. I mean, that's the sort of the scientific to
8 and fro that one has in an issue like this, and then it really
9 is a matter of determining, is that the basis if there is a
10 difference. So, in other words, is it resolvable because there
11 is something that was available that wasn't to the other group,
12 or it may not be resolvable.

13 Q. I'm going back to the--well, on that page of the
14 agenda, there is some handwritten notes on the bottom. And the
15 second bullet has a note appended to it: "Note: Use language
16 on endocrine to support uncertainty factor emphasizing rather
17 than carcinogenicity, et cetera. It may be easier for EPA to
18 support this."

19 Again, I suggest that that shows an intention on the
20 part of PMRA to coordinate its assessment with the EPA and to
21 accommodate, for example, the differences or the lack--the two
22 Assessments that will emerge in a way they don't contradict
23 each other.

24 A. Well, I think that when you're working together on
25 something, that is the purpose, that if there is a way that

09:54 1 there is common ground that's scientifically defensible, that
2 one would try to do that, rather than just sort of leave
3 everything hanging out there so that I mean, it's a matter of
4 discussion, and it may or may not be able to be done. That's
5 the purpose of the kind of discussion that's held for these
6 kinds of things.

7 Science is not so cut and dry, yes or no. There are
8 often all sorts of aspects to take into consideration, and
9 that's really the purpose of scientific discussion. It's not
10 to say that one has to do this or has to do that.

11 So, I'm not certain I understand your concern about
12 the fact that as a group they were really working together to
13 try to find the scientific truth that would be supportive, so
14 that this kind of dialogue is--that's--there is nothing sort of
15 unusual about that in my experience as a scientist.

16 Q. I'm suggesting that, in fact, there--rather than this
17 being an objective scientific inquiry, it is a matter of
18 positioning, may be easier for EPA to support this, suggest not
19 an objective comparison of scientific observations, but a
20 managing of the message that would result when the two
21 Assessments are compared.

22 Go ahead.

23 A. I'm allowed to speak now? Sorry about that.

24 No, I mean, that's your view. That's certainly not
25 the view that I would take on the purpose of these kinds of

09:55 1 discussions, and given that in a toxicological evaluation there
2 are numerous end points that can come into play in the final
3 decision. I think it's really important that one explore.
4 Maybe endocrine is a more important one than something else.
5 That's--that's the kind of dialogue that occurs. That's not a
6 manipulation by any means.

7 Q. Why would the PMRA be concerned about making things
8 easier for the EPA to support?

9 A. You know, we were under enormous pressure to try to
10 move forward with this review, so that if there were ways that
11 one could find out the specific end point that would drive the
12 Assessment, I don't think that it's untoward to try to see if
13 that can be brought forward rather than waste or use up an
14 additional excess amount of time to come to that point.

15 Q. Thank you, but I don't think that was the question.
16 I'll ask it again.

17 What's the PMRA's interest in making things easy for
18 the EPA to support?

19 A. You know, I think you're parsing words in the sense
20 that we're--

21 Q. Oh, all right.

22 A. And again, these are cryptic notes that somebody is
23 writing down in a meeting. I mean, we were in good faith
24 working with another agency to try to come to scientific truth
25 to support this. We, as an Agency, did not enter into this,

09:57 1 nor would EPA, that one is going to influence the other in
2 making a decision. One is trying to get all of the scientific
3 facts on the table and see if there is commonality in that.
4 That's the benefit of having larger groups of scientists
5 working together, but it doesn't necessarily make it easier
6 because you're simply going to have more discussion.

7 But I do believe it drives to the appropriate
8 scientific truth.

9 Q. Thank you.

10 Could I ask you to turn to your second Affidavit, the
11 yellow-colored one.

12 A. That's the yellow one?

13 Q. Right.

14 A. You messed with me because my second one that I have
15 at home has a red cover on it.

16 Okay, sorry. Tab--

17 Q. Well, no tab. In fact, Paragraph 8, which is sort of
18 the second sheet in.

19 A. Okay.

20 Q. And I'm looking at the paragraph under the heading,
21 "Canada Committed to Review Lindane During the Aarhus Protocol
22 Negotiations."

23 Seven, eight lines down, the sentence begins, "The key
24 is that. The key is that legally Canada could not commit
25 itself at the international level to ban lindane before a

09:59 1 special domestic review had taken place."

2 A. Yes.

3 Q. Can you tell me why that is.

4 A. We register products under the Pest Control Products
5 Act. And if any action is to be taken against registration, it
6 has to be done under the Pest Control Products Act, which
7 would--we would not ban anything without having a review to see
8 whether that was acceptable or not.

9 Q. Okay.

10 A. That's the intent of that statement.

11 Q. Right.

12 And so, where Canada could not commit itself at the
13 international level to ban lindane before a special review had
14 taken place, the implication is that after a special review had
15 taken place they could?

16 A. No. We could take a decision after the Special
17 Review. We couldn't commit to ban before we had done a review.

18 Q. Right.

19 A. And we could commit to do a review, and then we would
20 take the appropriate decision based on the outcome of the
21 review.

22 Q. In terms of either continuing a registration or
23 canceling it?

24 A. That's correct.

25 Q. And at that point, would Canada, if the registration

10:00 1 was withdrawn or terminated, Canada--would Canada be in a
2 position to ban lindane at the international level?

3 A. If the review--if the conclusion of the review that we
4 had done is that there was no basis to ban lindane, we then
5 would not have been able to sign a Protocol that would, in
6 effect, say that one--that a country was banning it.

7 Q. Indeed.

8 And if, on the contrary, if a review would have led to
9 the withdrawal or termination of the registration of lindane,
10 is the converse equally true that Canada could have committed
11 internationally--

12 A. Canada could--would then have been in a position to
13 sign because it would not have been a registered product in
14 Canada.

15 Q. Right.

16 A. So that--

17 Q. Makes sense.

18 A. I mean, the whole purpose of this is to be very clear
19 that Canada was not in a position to sign--other countries had
20 already banned lindane, so that they had no problem with
21 signing a Protocol that, in essence, was leading to an overall
22 ban. For them the situation was very clear: It didn't make a
23 difference. It was gone in their country, so they could sign
24 that because, in effect, they had already done that.

25 We had registered products in Canada, and we had not

10:01 1 done a review, so that there was no way that we were in a
2 position to support a Protocol that, in effect, was going to
3 ban them.

4 Q. I understand.

5 A. Okay.

6 Q. The last sentence in that paragraph states, "This
7 compromised position put us at odds with the European Union,
8 which was pushing for binding phase-out periods."

9 A. Right.

10 Q. In other words--well, first, the "us" you referred to
11 there is Canada; is that right?

12 A. Canada, yes.

13 Q. And the sense I get from that sentence is that the
14 European Union was pressuring Canada.

15 A. Everybody was pressuring. I mean, my goodness,
16 countries that had already banned lindane very much wanted
17 other countries that were still using it to stop because, of
18 course, their use could contribute to long-range transboundary,
19 which could then, even though a country had banned it, they
20 could still end up being exposed to it.

21 So, the whole purpose of these international POPs
22 Conventions was to find a way to deal with it. Our position
23 was that that could well be the case.

24 But I think it really points out or should point out
25 to everybody that we were not going to take action to ban.

10:03 1 This wasn't a preconceived idea that Canada had that they were
2 going to ban this, regardless. We clearly stated that we had
3 to do a review to make a decision as to whether a ban was
4 acceptable or not, so that there was not--there was not a--we
5 hadn't taken a decision ahead of time as to what the outcome
6 would be--that was based on the scientific review--despite the
7 pressure from many other countries.

8 Q. Now, I'm jumping ahead in yellow, second Affidavit of
9 yours, to Paragraph 35 at Page 12. Above Paragraph--do you
10 have it?

11 A. Okay, Page 12, yes.

12 Q. All right. Above Paragraph--four lines above the
13 beginning of Paragraph 35, there is--well, I will read from it.
14 "As Ms. Chalifour has also explained, Helix was a good
15 candidate for Joint Review because it was both a lindane
16 replacement and a replacement more broadly for organochlorine
17 and organophosphate pesticides."

18 And then continuing on Paragraph 35, "For a successful
19 Joint Review, it is critical that there be an early
20 pre-submission consultation among the Applicant and the
21 involved regulatory agencies."

22 You were involved in the Joint Review process, at
23 least, I assume, at a high level?

24 A. In establishing it, yes.

25 Q. All right. When was it established?

10:05 1 A. The documentation that I have in the--in my statement,
2 I've included the North American Initiative, which outlines in
3 great detail what was occurring. That was '96.

4 Q. You're not talking--I was asking about the Joint
5 Review of Helix, not the Joint Review in general.

6 A. Oh, sorry.

7 Q. Sorry, that wasn't clear, then.

8 When was the Joint Review for Helix established?

9 A. I believe it was around the specific date. I think it
10 was November '98, something in that time frame. I could be
11 wrong. I mean, those are not facts that I--

12 Q. All right. Maybe I'll ask you to turn then to the tab
13 on that yellow Volume CF-25.

14 A. Okay.

15 Q. That's a letter from you to Ms. Marcia Mulky of the
16 EPA.

17 A. Oh, sorry. I'm looking on Paragraph 25.

18 Q. No, no, no, Tab CF-25.

19 A. Yes.

20 Q. And that's a letter from you?

21 A. Yes.

22 Q. Do you recall this letter?

23 A. Yes.

24 Q. And it may well have been '98, I don't know. But
25 because I turned to the second page of that letter, where it

10:07 1 states: "You are probably aware that our respective staffs
2 have been meeting with Novartis U.S. and Canadian
3 representatives over several months. The cooperative outcome
4 has been harmonized submissions in both countries covering
5 Helix and thiamethoxim."

6 So, if the date of the letter is November 18, the
7 respective staffs have been meeting for several months, it was,
8 indeed, at least appears to have been 1998 that it was
9 established.

10 A. Yes. And your point?

11 Q. The Joint--this Joint Review of Helix was changed into
12 a workshare program somewhere--at some point in the course of--

13 A. That's a form of Joint Review, yes.

14 Q. That's an aspect of the Joint Review?

15 A. Yes.

16 Q. I would suggest to you that this sort of intense
17 initiative between the two agencies to single out a single
18 company's product to replace other pesticides would give it an
19 extraordinary market advantage, particularly in the context of
20 what was going on about the product it was to replace in
21 November of 1998. Is that fair?

22 A. No, I don't think that's fair. I think the issue of
23 Joint Reviews was to address a much broader issue which, in
24 essence, was a trade barrier; that if a product was registered
25 in one country and not registered in another country, then it

10:09 1 could cause enormous challenges.

2 And that went back to the many, many years before the
3 Agency was even formed. The first Joint Agreement between
4 Canada and the U.S. was a Canada-U.S. trade agreement, and
5 trade barriers were definitely a large part of that initiative.

6 The Joint Review process that was developed and
7 outlined in the document that I submitted, which really took
8 effect in 1996, was to have a procedure for how this could be
9 done, and there's no question, as was outlined in that
10 document, that this took a lot of discussion not only between
11 regulatory agencies, but also with industry because the
12 challenge to industry was, were they actually going to try to
13 be involved with two regulatory agencies at the same time? One
14 was often challenging enough for industry to deal with, so that
15 it did require a lot of dialogue.

16 Now, the Joint Review program in initial stages was
17 involved with reduce risk project products, and the issue there
18 being that if there were products coming along that had less
19 risk than other products that were currently on the market,
20 that they would actually be given an opportunity to come in at
21 the same time. And, yes, there were concessions made that this
22 review for these types of products could be done on a shorter
23 time frame.

24 And from a public health perspective, the intent was
25 that you would then have safer products available so that

10:11 1 people would not continue to have to use products that were not
2 as safe. So, there were a lot of reasons for having a Joint
3 Review process, and the recognition in any new process such as
4 that, that it does take a great deal of discussion.

5 I had met, along with the head of the Office of
6 Pesticide Programs, with industry as a whole to encourage them
7 to consider putting their submissions into Canada and the U.S.
8 at the same time. Mexico as well, but we were--we were
9 fundamentally capacity building with Mexico to have them
10 participate in this, as well. But the purpose really was to
11 have products that were reduced risk or, as it turned out in
12 the U.S., organophosphorus replacements. And Helix actually
13 met both of those criteria for why there should be a focus on
14 them.

15 And I'd just like to point out that imidacloprid,
16 which is Gaucho, actually had gone through the Joint Review
17 process, so it had previously availed itself of whatever might
18 be considered to be opportunities and advantages.

19 Q. The Joint Review of Helix proceeded quickly,
20 considering the category of submissions?

21 A. Not really. It took twice as long as the performance
22 standard for a Joint Review, so I'd hardly be able to say it
23 proceeded quickly.

24 Q. Did any Joint Reviews meet the performance standard?

25 A. Some of them did, yes, yes.

10:13 1 Q. At the tab that you're at there at CF-25, could I ask
2 you to turn to the next one, CF-26. You'll recognize that
3 document.

4 And I'm going to Page 19 of that, at Paragraph 1.70.

5 A. Yes.

6 Q. At least the Commission--this is a document entitled
7 "2003 Report of the Commissioner of the Environment and
8 Sustainable Development to the House of Commons, Managing the
9 Safety and Accessibility of Pesticides." And in that
10 paragraph, the Commissioner had this to say: "Joint Reviews
11 are not achieving planned gains. The Agency and its U.S.
12 counterpart can share the work of evaluating pesticides because
13 they use similar evaluation processes. Joint Reviews with the
14 U.S. began in 1996 and offered benefits such as reduced trade
15 irritants. They were also expected to make evaluations faster
16 and less costly. In practice, Joint Reviews are not faster for
17 the Canadian evaluators. We noted that the Agency has had
18 problems coordinating priorities and schedules with the U.S.
19 evaluators. It does not know if Joint Reviews have saved it
20 money because it does not track or estimate its costs or level
21 of effort by submission."

22 A. Um-hmm.

23 Q. And so, I'll just ask you again to remind myself of
24 what you said. The Helix Joint Review, you said, did not meet
25 the performance standard, and I asked you if--did others meet

10:14 1 that standard. Do you agree with the Statement of the
2 Commissioner that they have not saved time?

3 A. The Commissioner is focusing on the fact that the sole
4 purpose of Joint Reviews was to reduce evaluator time. That's
5 not the sole purpose that both of our agencies entered into
6 this. The fundamental purpose of this was to try to reduce
7 trade irritants and find a mechanism to do that so that the
8 auditor has really picked on one piece of it.

9 And I think that given the complexity of doing any
10 kind of review--given the complexity of doing any kind of--

11 Q. Please continue. The transcript will reflect
12 everything you say.

13 A. Oh, I see, I see.

14 Well, to do any kind of a review--well, now, I have
15 lost my train of thought, so we're even.

16 (Whereupon, the Court Reporter read back the previous
17 answer.)

18 A. The point I was going to make there is that the
19 process was available in 1996. We had perhaps one or two
20 submissions so that--and the other complicating aspect is, with
21 an Agency as big as EPA, the group of people that worked on one
22 submission were not necessarily the same group of people that
23 worked on another one.

24 So that until we had done a critical number of these
25 to, in fact, get all of the reviewers up to speed on this, our

10:17 1 expectation was not that we were going to meet these
2 performance standards every single time. I think that the
3 timing and the progress and the capacity of this program has
4 continued to grow as time has gone by, and I do believe in the
5 '08 Report the auditor was much more favorably impressed with
6 the strides that had been taken.

7 I mean, there is no question that the whole process--I
8 started with an Agency in '95 that in fact was multiple
9 departments, and they were all put together under one roof so
10 that we had in a very short period of time an enormous task to
11 do to get everybody up to speed to get new processes in place
12 for the way to do things, and then at the same time to be
13 starting to work with another agency that, in fact, was much
14 more--had been in place for a much longer period of time. This
15 was really quite a challenge for the staff, and they, in my
16 view, rose to the challenge and embraced with enthusiasm doing
17 a lot of these things that I'm sure they must have felt it
18 might have been a lot easier previously before we really
19 started to do this.

20 And I might add, we were under enormous pressure from
21 all sectors. The environmental groups figured we were too
22 easy. We did things too quickly. Growers figured they never
23 saw the same products as were in the U.S. which, in fact, was
24 not untrue. In many instances they simply weren't sent into
25 Canada, and industry felt that gains should be made and time

10:19 1 should be faster.

2 And it's also interesting, at the time we were doing
3 this, the U.S. Government did not have performance standards
4 for the reviews in general. The only ones that they committed
5 to performance standards were for the Joint Reviews.

6 Q. Could I ask you to turn to Tab in your second
7 Affidavit, Tab CF-19. This point relates to not the last
8 series of questions about Joint Reviews but the issue before
9 that. I'm looking at Page 3 under that tab. Well, the
10 document is "NAFTA TWG on Pesticides Executive Board Meeting."

11 The TWG on pesticides is a trade Working Group on
12 Pesticides; is that right?

13 A. That's correct.

14 Q. Trilateral working group.

15 On Page 3--

16 MR. DOUAIRE de BONDY: It's actually Technical Working
17 Group.

18 MR. SOMERS: Technical? Thank you.

19 THE WITNESS: Thank you.

20 BY MR. SOMERS:

21 Q. Under the heading "Implications" on Page 3, it says
22 "Under our current legislative mandate, Canada cannot at this
23 time agree to the proposed phase-out date of 2005 for the
24 aforementioned minor uses of lindane without voluntary action
25 on behalf of the industry."

10:20 1 And I'm wondering why you needed voluntary action as
2 opposed to at that point de-registering action, if you will?

3 A. I'll go back to the previous discussion.

4 Q. Right.

5 A. We had not done a re-evaluation, so we were not
6 prepared to have this phase-out, which was part of the
7 props--the POPs Protocol.

8 Q. But this is the NAFTA.

9 A. I understand, but this is an update to the NAFTA
10 Technical Working Group on activities that are going on.

11 Q. Sure, all right. Okay.

12 So, it is on that same theme as we were talking
13 before?

14 A. That's correct, yeah.

15 Q. So, and then that paragraph goes on, "Although
16 Registrants are showing some interest in discontinuing the
17 uses, one Registrant intends to maintain the registration, see
18 Appendix 1."

19 Appendix 1 wasn't provided, but we could probably
20 guess who that Registrant was.

21 A. Gee, I wonder.

22 Q. So, at this point PMRA was in a position where it was
23 interested in or being--I might put it another way. It was
24 under pressure to phase out lindane, and it could not do so
25 absent a voluntary withdrawal by the industry or a scientific

10:21 1 re-evaluation and, if found unacceptable, a termination of the
2 registrations; is that right?

3 A. That's correct.

4 Q. Thank you. That's all my questions.

5 PRESIDENT KAUFMANN-KOHLER: That completes your
6 cross-examination?

7 MR. SOMERS: It does, Madam Chair, yeah.

8 PRESIDENT KAUFMANN-KOHLER: Any redirect questions?

9 MR. DOUAIRE de BONDY: Thank you, Madam Chair.

10 REDIRECT EXAMINATION

11 BY MR. DOUAIRE de BONDY:

12 Q. I have just one redirect, Dr. Franklin.

13 If we could go back to the document Mr. Somers just
14 brought you to, the one that's CF-19, that's in your yellow
15 Witness Statement there, he had brought you to a paragraph on
16 Page 3 that says, "Implications, and it said--it says, "Under
17 our current legislative mandate, Canada cannot at this time
18 agree to the proposed phase-out date of 2005 for the
19 aforementioned minor uses of lindane without voluntary action
20 on behalf of industry." It goes on, "Although Registrants are
21 showing some interest in discontinuing the uses, one Registrant
22 intends to maintain their registration (see Appendix 1)." So,
23 there's a reference to minor uses.

24 If you go back to the previous page, you see at the
25 bottom of the page, and you see the paragraph, "There is a

10:23 1 strong concern associated with some of the minor uses for
2 lindane (namely tree plantations, lawn use, indoor and outdoor
3 use for nursery stock and ornamentals). For these uses, a
4 phase-out date of December 31, 2004 has been proposed, and has
5 strong support from other parties. Canada is isolated in
6 supporting the occlusion of these uses in the Protocol and is
7 under significant pressure to agree to this deadline during the
8 December negotiations".

9 So my question is simply, when--the reference under
10 "Implication" to the aforementioned minor uses of lindane and
11 Registrants are showing some interest in discontinuing the
12 uses, those minor uses don't include the canola application.

13 A. You're correct. I misspoke on that. You're
14 absolutely correct.

15 Q. Right. Because, in fact, the canola use was the main
16 threat--

17 A. Not a minor use in Canada, yes.

18 Q. Thank you. Those are my questions.

19 A. My apologies for that.

20 PRESIDENT KAUFMANN-KOHLER: Thank you. Does the
21 Tribunal have questions? Judge Brower?

22 ARBITRATOR BROWER: No.

23 PRESIDENT KAUFMANN-KOHLER: Professor Crawford?

24 ARBITRATOR CRAWFORD: Yes.

25 PRESIDENT KAUFMANN-KOHLER: Please.

10:26 1 Federal Court proceedings, which you mentioned in your
2 testimony, did the PMRA actually make recommendations to the
3 Minister's composition of the Board of Review? Maybe this was
4 after your time, so maybe you don't know the answer.

5 THE WITNESS: I'm sorry, I don't specifically know
6 whether there were names that were put forward before. I think
7 that maybe--I just don't remember the specific time sequence on
8 that.

9 ARBITRATOR CRAWFORD: You left the PMRA in 2003.

10 THE WITNESS: '3, yes.

11 ARBITRATOR CRAWFORD: Thank you. I have no other
12 questions.

13 PRESIDENT KAUFMANN-KOHLER: When we were discussing
14 earlier the draft agenda for the July 30th, 2001, meeting,
15 which is Tab 197 in Volume 6, if you want to go back to it, you
16 said we were under enormous pressure to move this review. You
17 have spoken about pressure at different times in your
18 testimony, but it is a recurrent theme.

19 Were you--who was pressuring you?

20 THE WITNESS: These would be internal. I mean
21 we--with performance standards or target dates or something, we
22 really do try to meet those so that we are actually putting
23 pressure on ourselves to meet the time frames that we've
24 committed to.

25 Now, there is no question that when there is a target

10:28 1 to do something, you make every effort to meet it, but you
2 cannot make a decision simply because a certain date has been
3 picked on it.

4 So, this really would be internal pressure that we had
5 in good faith made a commitment to do things, and we really did
6 want to move things forward in an appropriate time. There
7 would be no external pressure on that, other than the companies
8 in many of these instances saying, well, when are you going to
9 get it done, but...

10 PRESIDENT KAUFMANN-KOHLER: Though you also spoke of
11 the international activities around lindane and the pressure
12 that was putting--that these activities were putting on PMRA.

13 THE WITNESS: On Canada.

14 PRESIDENT KAUFMANN-KOHLER: On Canada.

15 THE WITNESS: Yes.

16 PRESIDENT KAUFMANN-KOHLER: Was Canada late in taking
17 action about lindane compared to other countries, or how do you
18 explain this?

19 THE WITNESS: No. There were a range of--the position
20 on Lindane Products in a range of countries varied, and so that
21 the countries that had taken action were definitely anxious to
22 see that other countries would follow suit, but Canada was
23 not--we were not the only country that had not finalized action
24 on these products.

25 PRESIDENT KAUFMANN-KOHLER: Thank you.

10:30 1 Then I would like to go back to the letter of the 27th
2 of October '99, which is a letter from Mr. Ingulli to you,
3 which you answered the next day, basically, by agreeing to the
4 terms of the letter. It is Volume 3, Tab 117, if you want to
5 go back to it.

6 THE WITNESS: Yes.

7 PRESIDENT KAUFMANN-KOHLER: You were asked about
8 Paragraph 4. And if I understand you correctly, you said that
9 this meant that if the EPA were to issue canola trial runs,
10 then you committed to reinstate lindane for canola
11 until--assuming your Special Review was not completed.

12 THE WITNESS: Correct.

13 PRESIDENT KAUFMANN-KOHLER: Until completion of your
14 Special Review. If that came to a negative decision, then this
15 reinstatement would lapse, expire? Is that--because I'm not
16 completely sure how this works. But if you read Paragraph 4,
17 it does say, "PMRA agrees to grant such reinstatement without
18 any other preconditions, including the possibility that PMRA
19 has not completed its re-evaluation."

20 And so, I am not clear what that means, is including
21 the possibility.

22 THE WITNESS: The mechanism for putting--lindane--the
23 product that was registered had lindane in it and two other
24 chemicals. What industry requested was that the--it was a
25 label change, that lindane be taken out of the product and that

10:32 1 it was still called the same thing, but it now only had two
2 chemicals in it. That's a relatively straightforward type of
3 action.

4 Our commitment was there was a mechanism that would
5 allow us to put lindane back on the label under the kind of
6 circumstances that it had been taken off, so then it would be a
7 registered product that had lindane in it.

8 If the outcome of the Special Review indicated there
9 were unacceptable risks, then we would go through the normal
10 procedure for suspending or canceling registrations. It
11 wouldn't utilize that process. That one was take it off and
12 put it back on for the voluntary situation. But if the review
13 indicated that it wasn't acceptable, then we would proceed with
14 the standard way of taking action.

15 MR. DOUAIRE de BONDY: If I can just help avoid some
16 confusion here, I believe when you mentioned taking lindane off
17 the label, my understanding is that it was taking canola off
18 the lindane Product Label and then potentially reinstating the
19 canola product.

20 THE WITNESS: No, lindane was not part of the product.

21 PRESIDENT KAUFMANN-KOHLER: Are you simply referring
22 to Paragraph 1 of this letter?

23 MR. DOUAIRE de BONDY: Yes.

24 PRESIDENT KAUFMANN-KOHLER: And Paragraph 4 would be
25 undoing Paragraph 1?

10:33 1 THE WITNESS: Yes, but in addition to taking canola
2 off--yes, I mean if the product--I see what you're driving at.
3 If that product is registered for a number of different uses,
4 then the other uses would still remain, so--

5 PRESIDENT KAUFMANN-KOHLER: That's clear, thank you.

6 If we look at Paragraph 2 of this letter, it speaks
7 about the review by the end of 2000. The review was not
8 completed by the end of 2000. Canada's position is that this
9 is not a time limit, but it's a target date.

10 THE WITNESS: It was--

11 PRESIDENT KAUFMANN-KOHLER: Now, why? Is this the way
12 you understand it, and if so, why?

13 THE WITNESS: It was stated at the very beginning with
14 the Voluntary Withdrawal Agreement that it was a target, that
15 the re-evaluation would be completed in 2000. There are
16 circumstances beyond which one doesn't always have control, so
17 that you can't--you can't guarantee, and that's not--that's not
18 the kind of language that's utilized when we make a commitment
19 such as that.

20 PRESIDENT KAUFMANN-KOHLER: When you say it was said
21 or agreed at the time of the Voluntary Withdrawal Agreement,
22 then you refer back to the November '98 letter of the Canadian
23 Canola Growers Association? Is that what you had in mind.

24 THE WITNESS: Do we have--

25 PRESIDENT KAUFMANN-KOHLER: Just by reading the letter

10:35 1 of the 27th of October, it is not obvious that it is a target
2 date, or is it?

3 THE WITNESS: It had been stated many times that it
4 was a target date; and, for any commitment for a re-evaluation,
5 one would give a target date to be completed. There could be
6 circumstances that would prevent that from happening, and so
7 that--I mean, that certainly--we could--it's the same way--we
8 can never guarantee we're going to give a registration to
9 something. We can guarantee or make a commitment that we will
10 do the review, but one cannot determine what the outcome is
11 going to be.

12 PRESIDENT KAUFMANN-KOHLER: It's a different thing to
13 commit to an outcome or commit to a time line. You could have
14 committed to the time line without committing, of course, to
15 the outcome. That's obvious.

16 THE WITNESS: That's correct. I mean, we targeted
17 that that would be the time frame that we were doing this
18 review in collaboration with the U.S., and that, unfortunately,
19 we were not able to maintain that target, that time target.

20 PRESIDENT KAUFMANN-KOHLER: Thank you.

21 So, these were all my questions, but maybe you have a
22 follow-up question.

23 ARBITRATOR BROWER: Looking at the same document, this
24 is dated October 27, 1999. You have said basically what you
25 regard as the original Withdrawal Agreement was approximately

10:37 1 one year before that, and at that time you set a target of also
2 end of 2000 for the scientific assessment of lindane. A year
3 later, when this was written, was that review on track to be
4 completed by the end of 2000?

5 THE WITNESS: That, I can't say with certainty because
6 it was in progress, that we certainly were still working
7 towards having it done by that point in time. It's not--I
8 don't have at my fingertips when it became readily apparent
9 that that would not be a possible target date to meet.

10 ARBITRATOR BROWER: You should understand that the
11 Claimant takes the position that in respect of the Claimant,
12 Chemtura, this exchange of letters between Chemtura and you of
13 October 27 and 28 constitute their Voluntary Withdrawal
14 Agreement, and that the Agreement is in the terms set forth,
15 namely at the end of paragraph--that the Paragraph 2--you will
16 provide a scientific assessment of lindane by the end of 2000.
17 It wasn't qualified there.

18 THE WITNESS: That's certainly correct the way this is
19 written, but I also think that there were--there was such a
20 very large number of letters that were exchanged in and around
21 this time, and we kept coming back, as I did in my response in
22 this letter, that this is remaining supportive of the Voluntary
23 Agreement, so that we didn't necessarily--we, I don't think in
24 any way, at least I certainly myself, did not construe this as
25 a contract. This was the--we were looking to see whether or

10:40 1 not the company was going to--because there had been many
2 iterations of what they were or were not going to do over the
3 period of time that--from when the--when they actually publicly
4 at the meeting said that they agreed with the Voluntary
5 Withdrawal Agreement.

6 And subsequent to that, over the next year, there were
7 numerous letters at which there were a range of agreements, not
8 take it off the label, keep it going longer, et cetera.

9 So, what we were looking for in this was were they
10 meeting the time frames, were they agreeing to the time frames,
11 but again we keep bringing them back to the fact that it's the
12 Voluntary Withdrawal Agreement that had been negotiated by the
13 canola group. That was the driver for this.

14 ARBITRATOR BROWER: Well, if you look at your letter,
15 which is at Tab 118.

16 THE WITNESS: Yes.

17 ARBITRATOR BROWER: It says, "I am confirming that
18 PMRA is in agreement with both your stated commitment to
19 voluntarily remove canola/rapeseed from the product labels
20 Uniroyal Chemical Company seed protectants that contain lindane
21 by December 31, 1999, and the provisions that are outlined in
22 the October 27th letter received from you by fax."

23 THE WITNESS: Yes.

24 ARBITRATOR BROWER: So, on the face of it, you
25 accepted--this is the position they take, and on the face of

10:41 1 it, you accepted to provide a scientific assessment of lindane
2 by end of 2000 as of this writing in late October.

3 THE WITNESS: We certainly were still in--working
4 under the basis that we would be able to meet it, but I think
5 because we had so frequently stated that this was a target that
6 we did not feel that it was necessary to keep repeating the
7 same thing, that this was a target.

8 And, in fact, I believe there is internal company
9 letter that does indicate that we cannot be held accountable
10 for things that are beyond our control, such as if the time
11 frame for the re-evaluation that EPA was doing starts to slip,
12 there is no way that we have no control over that, so the
13 company themselves already had acknowledged that internally.

14 ARBITRATOR BROWER: Thank you.

15 PRESIDENT KAUFMANN-KOHLER: Fine. If there are no
16 further questions, then I would like to thank you very much.

17 MR. SOMERS: Excuse me, Madam Chair.

18 PRESIDENT KAUFMANN-KOHLER: Do you have a follow-up
19 question?

20 MR. SOMERS: I have follow-up question from Professor
21 Crawford's question.

22 MR. DOUAIRE de BONDY: And I have a couple of
23 follow-up questions.

24 THE WITNESS: Thanks for trying.

25 PRESIDENT KAUFMANN-KOHLER: Yes, please, Mr. Somers.

10:43 1 MR. SOMERS: Thank you.

2 RE-CROSS-EXAMINATION

3 BY MR. SOMERS:

4 Q. Could I ask Volume 7 of the Joint Hearing Bundle be
5 put in front of the witness. I'm not sure if you have it.

6 A. I have two, three, six.

7 Q. Then I want seven.

8 I'm going first to Tab 245 In Volume 7. This is a
9 letter dated May 6, 2002, from the Minister of Health to
10 Mr. Michael L. Phalen of Ogilvy Renault. In it the Minister
11 states, "Thank you for your correspondence of February 18 and
12 March 14, 2002, regarding your client's requests for review of
13 certain decisions under Section 23 of the Pest Control Products
14 Regulations. Crompton Company/Compagnie requests have been
15 referred to the Pest Management Regulatory Agency for
16 appropriate action. Therefore, you may wish to direct further
17 communications on this matter to the Agency's Executive
18 Director, Dr. Claire Franklin.

19 A. Right.

20 Q. Now, I'd like you to turn, if you would, to Tab 247.
21 This is Michael L. Phalen, counsel for Chemtura's response to
22 the Minister. Second paragraph, "We are unclear as to the
23 meaning or intent of your letter. It would appear that either
24 you intend the PMRA to appoint the Board for the purpose of
25 conducting the reviews contemplated by the Regulations or that

10:44 1 you intend the PMRA itself to conduct the review. Either
2 interpretation offends principles of fairness and reasonable
3 administrative decision making."

4 You were at the PMRA at this time?

5 A. At this time, yes.

6 Q. And so, at the time that the Board was actually
7 appointed, though, were you still at the PMRA at that time?

8 A. I don't--what's the date that it was appointed?

9 PRESIDENT KAUFMANN-KOHLER: May 2004.

10 THE WITNESS: Pardon me?

11 PRESIDENT KAUFMANN-KOHLER: May 2004.

12 THE WITNESS: No, I was not.

13 BY MR. SOMERS:

14 Q. So, you weren't there yet.

15 A. No.

16 Q. And so, are you aware of the mechanism or the means or
17 the methodology that was used to appoint the members of the
18 Board?

19 A. The methodology which we were using was to provide a
20 list of names to the Minister's office, have the Minister
21 determine whether or not these were appropriate names, and the
22 letters, I believe, also went from the Minister to the Board
23 members.

24 Q. Now, you said the mechanism that we were using. At
25 which time? Once the Board was eventually entrained to be

10:46 1 appointed?

2 A. The process had started while I was there, that the
3 Board would be--that names would be provided to the Minister
4 and the Minister would then determine which Board members she
5 felt comfortable, and the letter would go from the Minister.
6 The question that was asked earlier was, had I submitted names
7 before I left or after. That, I must say, I just don't
8 remember that, but I do think that I was involved along with
9 some other people to get an idea of people who might have
10 the--remembering that this was to be a scientific review,
11 people who would have scientific expertise in doing Risk
12 Assessment, so that the names that were considered would be
13 people that would have that kind of background.

14 Q. You'll agree with me in any event that the concerns of
15 the counsel for Chemtura which led to the Court action which
16 led to the Minister freezing all action on the file and a year
17 of delay, the concerns of counsel were not that the PMRA was
18 involved, but that the PMRA would either appoint the Board or
19 that the PMRA would be the Board, and that's what this letter
20 at Tab 247 makes very clear.

21 A. Right, right.

22 And I would contest that their concerns were unfounded
23 because that was not the case.

24 Q. Did you respond at any point to the company as far
25 as--

10:47 1 A. I did not have any direct, that I recollect, any
2 direct correspondence with the company over appointment of the
3 Board.

4 Q. Do you know whether the Minister responded to this
5 letter of June 3rd, 2002, at Tab 247?

6 A. I think they filed action before she had a chance.
7 They filed, I believe, if memory serves me, on the 8th. That
8 would be unbelievable speed for a letter to come from a
9 Minister's office, and so that my recollection is that they
10 actually filed before the Minister had an opportunity to
11 respond and to clarify the situation.

12 Q. Under Tab 248, the filing date appears to have been
13 June 12, actually.

14 A. June 12.

15 Q. Still, unbelievable speed for your Minister?

16 A. For any Minister, yes.

17 ARBITRATOR CRAWFORD: If I may make a comment, it's a
18 great pity that the Minister didn't respond because the first
19 letter from the Minister was inappropriately formulated. The
20 Minister should have said, of course not. I make the
21 appointment. I'm simply asking for advice on the members.

22 THE WITNESS: Yes.

23 ARBITRATOR CRAWFORD: And it would have saved you a
24 lot of time.

25 THE WITNESS: But the Minister may have thought that

10:49 1 the Claimant would be familiar with the legislation, which does
2 indicate, I believe, that this Board is appointed by the
3 Minister.

4 But you're right. I mean, I think that that's
5 something that could perhaps--and I understand why a Claimant
6 would be concerned, that if the group that's done the review is
7 the one that's going to do the larger review, but that was
8 never the intention.

9 ARBITRATOR BROWER: Just an aside, if American
10 experience is at all relevant, the Minister never saw that
11 letter except to sign it and it was prepared by his Executive
12 Assistant.

13 THE WITNESS: If I might add, this particular Minister
14 McClellan was extremely bright, very interested, so I'm not
15 sure I would agree that that would be the situation for this.

16 And also the fact that Boards of Review were so
17 infrequent that I would be surprised if she did not see that.

18 MR. SOMERS: Just one more question.

19 PRESIDENT KAUFMANN-KOHLER: Any further questions?
20 Yes.

21 BY MR. SOMERS:

22 Q. In conclusion with the--that oft referred to
23 November 24th, 1998, meeting amongst Registrants, Canola
24 Council, and PMRA individuals, you weren't at that meeting,
25 were you?

10:50 1 A. No.

2 Q. Thank you.

3 PRESIDENT KAUFMANN-KOHLER: Mr. Douaire de Bondy, you
4 said you had questions as well.

5 MR. DOUAIRE de BONDY: Just a few follow-up questions.

6 FURTHER REDIRECT EXAMINATION

7 BY MR. DOUAIRE de BONDY:

8 Q. Dr. Franklin, when the Tribunal was asking you a few
9 questions, they were asking about this reference in the letter
10 of October 27th, 1999, in the Joint Hearing Bundle at 116,
11 number two, the second comment, in accordance with any data
12 call-in at regulatory requests and provide--

13 A. Could you tell me where you are at as well.

14 Q. Sure. I'm in this third hearing bundle. Sorry. I'm
15 going to quickly.

16 And I'm at Tab 116.?

17 A. Yeah.

18 Q. And I'm at point two, and the point here was simply
19 this was about the timing for the outcome of the Special
20 Review, and it says, by the end of 2000.

21 Now, you mentioned that there had been frequent
22 references to 2000 being a target date, and I just wanted to
23 turn you to Joint Hearing Bundle Volume 2.

24 A. Yes.

25 Q. Okay. And it's at Tab 88, which is the Special Review

10:52 1 Announcement, so this would be the document that was
2 establishing the terms for the Special Review.

3 And I'm just wondering if you could look to the last
4 page, the paragraph where it ends. Can you confirm--

5 A. The target date for completion is December 2000, yes.

6 Q. So, when you mentioned earlier that you had said 2000
7 was a target date, was this one of the things you were
8 referring to?

9 A. It's definitely there.

10 Q. All right.

11 A. It's right out of the block it says target date.

12 Q. All right. And if we could turn to the next tab in
13 the same bundle, it's Tab 89, this is a letter you wrote to
14 Mr. Ingulli. It's Joint Hearing Bundle 89, it's Exhibit WS-28.
15 Letter of March 25th, 1999.

16 And again, if you could turn to the second page of the
17 document, the first paragraph.

18 A. Right.

19 Q. Could you tell us what you say there about the timing
20 for the Special Review.

21 A. PMRA has recently announced a special review of all
22 uses of lindane, with a completion target of December 2000."

23 Q. Okay. So was this a document you were thinking of
24 again?

25 A. Yes.

10:53 1 Without being able to specify dates for them, I know
2 there were numerous...

3 Q. Just in general, would you expect a--would you think
4 it was a reasonable expectation on the part of a Registrant for
5 a complicated scientific review to finish come heck or high
6 water, if I can put it that way, by the end of December, by a
7 specific date, would that be a reasonable expectation?

8 A. Well, if one looks at the track record in regulatory
9 agencies for re-evaluations, I think I would have to agree that
10 it is not a reasonable expectation because there is frequently
11 additional information or other issues that arise once the
12 review is started that are beyond the control of the people
13 doing the review.

14 I believe for one thing that one of the studies that
15 was to be submitted was actually from the company was late. I
16 think these were some of the data the U.S. had requested. And
17 if memory serves me right, they were late on submitting the
18 carcinogenicity study well beyond 2000, so that obviously would
19 be a sufficiently important end point that one could not
20 complete a review without having that information.

21 Q. Okay. Let's go back to this letter of October 27th,
22 1999 in the Volume 3 of the Joint Hearing Bundle Exhibit WS-40,
23 and again we are back at Paragraph 2. Are you with me?

24 A. Number two?

25 Q. Yeah.

10:55 1 A. Yes.

2 Q. So, document 116, and it starts, "PMRA and EPA shall
3 coordinate and collaborate on the timely review and
4 re-evaluation of any new lindane data already submitted," and
5 so on, "and provide a scientific assessment."

6 So, your understanding is that the Registrant Chemtura
7 was requesting as part of its series of requests here that the
8 PMRA collaborate with EPA on the scientific review?

9 A. Yes.

10 Q. Okay. I'm sorry to flip you around in documents so
11 much, but if you could turn now to the Joint Hearing Bundle at
12 Tab 162.

13 A. Which bundle?

14 Q. We will get to that.

15 It looks to me like it would be Volume 4; is that
16 right? 5, sorry.

17 A. I either have to take something out or get a higher
18 microphone here.

19 Q. So, we are at--

20 A. Tab 162?

21 Q. Yes.

22 A. Okay.

23 Q. It's Joint Hearing Bundle 5, Tab 162. This is a
24 document that was originally produced by Canada as
25 Exhibit WS-89 to, I believe it's Wendy Sexsmith's second

10:56 1 Affidavit, and it's an E-mail from Rick Turner, who is of
2 Gustafson--that is the Claimant's--one of their
3 companies--dated the 14th of December 2000.

4 And I just wanted you to look down to the third
5 sentence. It says, "As I read and recall, the Withdrawal
6 Agreement PMRA had committed to a review by of lindane by
7 December 2000. EPA was slated to have their review completed
8 by October. While December has not yet expired, I do not know
9 how PMRA can complete their review because they were relying on
10 EPA Assessment as part of their Special Review process."

11 Now, you said earlier you remembered internal
12 documents that showed the Claimant itself understood the PMRA
13 review had been delayed because of their collaboration with
14 EPA. Is this what you were referring to?

15 A. Yes, and I do think there was an original letter as
16 well that reiterated that.

17 Q. So, it's fair to say that the condition of
18 collaboration that the Claimant itself asserts it was imposing
19 was the reason why the date was slipped from late December to
20 October 2001?

21 A. Yes.

22 Q. Okay.

23 Just a general question, now you know that the Special
24 Review came out in October 2001 because data from USEPA on the
25 toxicology side was brought together with occupational exposure

10:58 1 data and that the PMRA Special Review team reached a negative
2 result.

3 I'm just wondering if the data had been delivered to
4 PMRA any earlier; that is, if the Special Review results had
5 been issued any earlier, would it have made any difference to
6 the outcome of the Special Review in the sense that, based on
7 the same data, would PMRA have reached a different result if it
8 had the data in hand a few months earlier?

9 A. I think that's highly unlikely that they would have
10 reached a different decision. The action simply would have
11 been taken earlier.

12 Q. So, in other words, the delay--well, let's go back to
13 the results of the Special Review.

14 As a result of the Special Review, the PMRA was
15 required to--requests of Registrants voluntary suspension, and
16 in absence of that suspension--

17 A. Voluntary withdrawal or suspension.

18 Q. Or suspension, right.

19 So, up to the time this Special Review results were
20 released, Chemtura's other Lindane Products remained on the
21 market?

22 A. That's correct.

23 Q. So, if the Special Review results had been released
24 any earlier, it simply would have led to an earlier suspension
25 or withdrawal of their remaining Lindane Products; is that

10:59 1 correct?

2 A. That would be my conclusion as well, yes.

3 Q. All right. Those are my questions.

4 PRESIDENT KAUFMANN-KOHLER: So, that was a redirect
5 after the recross. If you still have question, it is, of
6 course, fair that I give you the floor.

7 MR. SOMERS: I'm grateful, Madam Chair, but I think
8 this ground has been tread several times already. Thank you.

9 PRESIDENT KAUFMANN-KOHLER: Thank you.

10 No further questions. Then this time I can release
11 you.

12 THE WITNESS: You're sure now?

13 PRESIDENT KAUFMANN-KOHLER: I'm sure now, yes.

14 Thank you very much, Dr. Franklin.

15 THE WITNESS: Thank you.

16 (Witness steps down.)

17 PRESIDENT KAUFMANN-KOHLER: We will take a 20-minute
18 break, and then continue with Dr. Costa; right?

19 (Brief recess.)

20 LUCIO COSTA, RESPONDENT'S WITNESS, CALLED

21 PRESIDENT KAUFMANN-KOHLER: Professor Costa, good
22 morning.

23 THE WITNESS: Good morning.

24 PRESIDENT KAUFMANN-KOHLER: You're Lucio Costa?

25 THE WITNESS: Yes, I am.

11:25 1 PRESIDENT KAUFMANN-KOHLER: You're a professor of
2 toxicology at the University of Washington in Seattle.

3 THE WITNESS: Yes.

4 PRESIDENT KAUFMANN-KOHLER: You have given two expert
5 reports in this arbitration?

6 THE WITNESS: That's correct.

7 PRESIDENT KAUFMANN-KOHLER: As an expert, you are
8 under a duty to make only such statements that are in
9 accordance with your best belief. I think that's what the
10 declaration says. Can you please read are it into the record
11 to confirm that you understand such duty.

12 THE WITNESS: I'm aware that I'm heard as an expert
13 witness in this arbitration, and that I'm under a duty to make
14 only such statements which are in accordance with my sincere
15 belief.

16 PRESIDENT KAUFMANN-KOHLER: Thank you.

17 You know how we proceed because you have heard the
18 hearing earlier on today. I will now turn to Mr. Douaire de
19 Bondy for some introductory questions.

20 MR. DOUAIRE de BONDY: Thank you, Madam Chair. I'm
21 actually going to pass the floor to my colleague, Mr. Kurelek.

22 DIRECT EXAMINATION

23 BY MR. KURELEK:

24 Q. Professor, I just have one question for you: Do you
25 adopt and affirm the contents of your two expert reports filed

11:26 1 in this action?

2 A. I do.

3 MR. KURELEK: That's my only question, Madam Chair.

4 PRESIDENT KAUFMANN-KOHLER: Please.

5 CROSS-EXAMINATION

6 BY MR. SOMERS:

7 Q. Good morning, Dr. Costa. My name is Greg Somers, and
8 I am representing Chemtura in this proceeding.

9 Before I start on the substance of your Report, I just
10 wanted to ask you a couple of questions about your expertise.

11 You are a toxicologist, of course.

12 A. Yes, I am.

13 Q. Are you an expert in Risk Assessments?

14 A. I have substantial expertise in Risk Assessment,
15 that's right.

16 Q. And are you an expert in risk mitigation?

17 A. No, I'm not.

18 Q. Are you an expert in pesticides for agricultural uses?

19 A. I am--consider myself an expert with regard to the
20 health effect of pesticides, not on the agricultural side.

21 Q. Not in terms of--

22 A. I'm not an entomologist or an expert on crops or so.

23 Q. Okay. Are you an expert in the regulation of
24 pesticides or other toxic substances?

25 A. I'm not a regulator. I'm a scientist, but I know the

11:28 1 process, regulatory process, for pesticide registration, yes.

2 Q. Internationally?

3 A. Certainly in the U.S., and it's similar in most
4 countries.

5 Q. I see.

6 Earlier today, we were given--on this side of the room
7 anyway, we were given a document, and I don't know if it's been
8 assigned an exhibit number yet, but it's LC-22, and then I will
9 say Page 4 of it because it was completing one of the tabs that
10 were included in your Second Expert Report. It was completing
11 Tab 22, which contained Exhibit LC-22. It's a table. It's a
12 table comparing two regulatory agencies' conclusions on
13 lindane.

14 A. Yes.

15 Q. Thank you.

16 You have that in front of you, then, that table?

17 A. Yes.

18 Q. All right. My copy, in the upper right hand because
19 apparently there were two of them, has written in hand prepared
20 by Victoria in preparation for Claire/Marcia call July 31,
21 2001. Is that the one you're looking at, too?

22 A. Yes.

23 Q. Okay. I'm looking at the last row on that table, and
24 I would like to direct your attention to it, where it says on
25 the left column, "Issue: planting treated seed."

11:30 1 "PMRA," down the column, "neither wheat/canola meet
2 target MOE."

3 Next column over, "EPA" at the bottom, "Both wheat and
4 canola are okay."

5 "Main issue or status, different studies used leading
6 to vastly different results."

7 Now, I take this to be an expression of the principle
8 that would appear to apply here that, depending on the
9 selection of the data, apparently innocuous or reasonable,
10 alternative choices of data can lead to vastly different
11 results. Is that fair?

12 A. In the Risk Assessment process?

13 Q. Yes.

14 A. There is certainly a possibility that, by looking at
15 the same data, two different regulatory agencies may reach
16 different conclusions.

17 Q. Did you say in looking at the same data?

18 A. Yes.

19 Q. And, therefore, all the more, if two regulatory
20 agencies select or look at different data, they can very well
21 lead to substantial differences.

22 A. It's possible.

23 Q. Would you consider that two agencies looking at
24 different data and arriving at vastly different results are
25 still within the scope of reasonable disagreement between

11:31 1 scientists?

2 A. Yes, they are.

3 And you should also consider the fact that there may
4 be different legislations in different countries, and this may
5 have an impact on the final outcome of a Risk Assessment.

6 Q. Thank you for that, but I guess I was trying to focus
7 more on your expertise, which is more of the scientific as
8 opposed to the legal--the legal side, but I have your answer on
9 that regard as well, thanks.

10 Looking at now your First Expert Report of October 9,
11 2008, and I'm turning to Page 15 of that and Paragraph 77, and
12 I'm saying that because if you want to refresh your memory as
13 to what Paragraph 77 is about. I don't want to read that
14 lengthy and complicated paragraph into the record. But at the
15 end of that paragraph on the top of the next page you conclude,
16 "Thus, it is my opinion that the use of an additional 3X
17 uncertainty factor for age sensitivity is scientifically
18 justified. The EPA applied the same 3X uncertainty factor in
19 its 2002 RED."

20 And all I'm trying to find out is what did you look at
21 and take into consideration in arriving at this conclusion that
22 it was scientifically justified? I'm just wondering what the
23 source--the set of materials that you examined to conclude that
24 was.

25 A. Yes. The additional uncertainty factor that one can

11:34 1 apply to the standard uncertainty factors related to
2 interspecies differences and intraspecies differences are based
3 on potential additional toxicological concerns.

4 And this additional uncertainty factor can go anywhere
5 from--usually from 2 to 10 as a value. In this specific case,
6 there was evidence based on the fundamental neurotoxicity study
7 and also multi generational reproductive study, that lindane
8 had neurotoxic effect in the pups as compared to the mother,
9 and therefore this raised the flag for potential development of
10 neurotoxicity of lindane.

11 If you look at the internal policy document of PMRA
12 itself, such a situation calls for the application of an
13 additional safety factor which will go from 3 to 10, and here I
14 simply say that, based on the data available, I agree with the
15 fact that an additional safety factor should be applied in
16 consideration of the potential development of neurotoxicity of
17 lindane, and this is exactly what I wrote here. It is my
18 opinion that the use of an additional 3-fold uncertainty factor
19 for agency sensitivity is scientifically justified.

20 Q. Did you review those studies that you just alluded to
21 in arriving at your view?

22 A. I reviewed a lot of studies. Of course, I did not
23 review all the original studies on which the Risk Assessment
24 was based upon, and that's obvious because it took many
25 scientists at the PMRA and many scientists at the EPA many

11:36 1 years--

2 Q. Exactly.

3 A. --to read all those studies, to evaluate all those
4 studies, so it was beyond my ability to look in detail, all the
5 studies.

6 But, I've read basically the summary of the studies
7 published not only by the PMRA, by many other bodies that
8 addressed the issue of lindane, and that includes JMPR, the
9 ASTR, the California EPA, the EPA itself, and obviously the
10 PMRA.

11 Q. Would the same consideration and the same answer--if I
12 had asked you that question in relation to Paragraph 82 for
13 immunotoxicity and endocrine factors and an additional 3X
14 factor, would your answer be the same?

15 A. For immunotoxicity, yes, although I remember I've
16 looked specifically at a couple of studies, original studies as
17 well.

18 Q. All right. I'm jumping ahead in your Expert Report to
19 Paragraph 111. At Paragraph 111 and item D, you state, "The
20 Board indicated that, in its view, an additional uncertainty
21 factor of 10X was not fully justified and recommended that PMRA
22 consider a lower uncertainty factor."

23 I'd like to get the actual lindane Review Board Report
24 in front of us so we can discuss it more carefully, I'll say.
25 And that is at--sorry, it's at Volume 9 of Tab 275.

11:40 1 Unfortunately, in the copy that's in the Joint Hearing
2 Bundle, the Report is not complete. It's missing Paragraphs
3 220 through 222, which is the very paragraph that I want to
4 refer to, and if that's the volume in front of you, I have the
5 page that completes the Report so that, in fairness, it's in
6 front of you when we turn to discuss it.

7 (Document handed to the witness.)

8 Q. And I presume that your conclusion in Paragraph 111(d)
9 of your Expert Report was derived from the statement in
10 Paragraph 222 of the Lindane Board of Review Report, but I will
11 ask you to confirm that.

12 A. That's what it says, yes.

13 Q. Yeah, okay. So, and I'll just read it in. This is
14 the Lindane Board of Review at Paragraph 222: "The Board is of
15 the view that the additional 10X uncertainty factor is not
16 justified.

17 Where a scientist makes a finding or a determination
18 that is not justified, would you say that that is within
19 generally acceptable scientific parameters?

20 A. I think so. The application of these additional
21 uncertainty factors, it's left to the scientists who conduct
22 the Risk Assessment, and it's often very possible that
23 different scientists, as I mentioned earlier, by looking at the
24 same data, may reach different conclusions.

25 It's also--you could find also differences in the

11:42 1 amount of this uncertainty factor. It could be, as I said,
2 anything from 2 to 10. So, there are differences.

3 The way I read the Paragraph 222 is that not that the
4 Board recommended that the additional uncertainty factor be
5 totally removed. It says that, "it therefore recommends that
6 PMRA consider an adjustment factor added in additional 10-fold
7 maximum default."

8 In other words, my interpretation of this
9 recommendation on part of the Board of Review is that, "PMRA,
10 you have decided to apply a 10-fold safety factor," which is
11 the maximum basically. "Why don't you go back and look at the
12 data again and see whether you can go by and consider and use a
13 different safety factor?"

14 The Board didn't say, "You should use 2 or 3 or 5 or
15 7." It simply recommended PMRA to take another look at the
16 data and see whether they could apply a lower uncertainty
17 factor.

18 Q. I read it in a similar fashion. But what I'm
19 suggesting to you, and asking you to comment on, is why did the
20 Board send them back to consider another uncertainty factor?
21 Isn't that because the uncertainty factor, which PMRA used, was
22 unjustified?

23 A. Well, as I mentioned earlier, there are differences in
24 opinion.

25 Q. Indeed.

11:44 1 A. One may say that a 3-fold uncertainty factor may be
2 sufficient to cover everything. Another person, another group
3 of scientists, might say, "No, we believe that a higher
4 uncertainty factor is more appropriate."

5 And these differences of opinion are within the
6 boundaries of acceptable sciences. Obviously, if you apply a
7 higher safety factor, you are leaning toward a more
8 conservative position, and this is what PMRA seemed to have
9 done. They have chosen a more conservative safety factor.

10 And although you could say, you know, "You have been
11 too conservative, you could have chosen a lower one," you also
12 have to think that PMRA is the Canadian Agency which is
13 responsible for the safe use of pesticide in the State of
14 Canada, and so it's the responsibility to assure that the use
15 of any pesticide would be within the realm of safety. That's
16 their duty and their mission. And from this point of view,
17 it's not surprising they may be leaning toward a slightly more
18 conservative position.

19 Q. I appreciate that. We discussed at the beginning that
20 your experience and expertise in terms of regulation and public
21 policy. I was more interested in the scientific aspect and
22 toxicology aspect of things.

23 When you choose an uncertainty factor in a
24 toxicological assessment, a risk assessment that you have
25 carried out, I assume, in the past, I suggest to you that you

11:47 1 choose an uncertainty factor on a principle basis for reasons
2 that you can demonstrate to your peers are the best choice that
3 would be justifying the choice. It's not whimsical: "I'm
4 going to pick 5 today." It's reasoned, and it's supported;
5 that means it's justified. Would you agree with me that's how
6 you'd choose them?

7 A. Yes. When there are--we are talking, to make it
8 clear, about this time for additional safety factor.

9 Q. Yes, we are.

10 A. Which goes beyond to the 100-fold safety factor. In
11 this particular case, PMRA had three different additional
12 toxicological concerns: One was developmental neurotoxicity,
13 as we already discussed; the other was potential
14 immunotoxicity; and the third one was potential endocrine
15 toxicity.

16 And for the--if you look at the internal policy
17 document by PMRA, the developmental neurotoxicity concern calls
18 for an uncertainty factor ranging between 3 and 10, and the
19 other two concerns call for an additional safety factor of each
20 one of 3. So overall, they applied a safety factor of 10,
21 which takes into account all of these three different concerns.

22 So, I don't--basically, if they--you know, if you want
23 to go down to the math, to the mathematics and the numbers,
24 they might have applied a safety factor of around 3 for
25 developmental neurotoxicity, and another factor of around 3 to

11:49 1 cover both immunotoxicity and endocrine toxicity for overall
2 safety factor, which was 10.

3 Q. And that's because, as they add uncertainty factors,
4 they get multiplied together; is that--

5 A. They're always multiplied, yes.

6 Q. So one would be 3 and the other--

7 A. 3 times 3 would make 9.

8 Q. So that's why you say--

9 A. So they can round it up at 10. You can rarely see an
10 uncertainty factor of 9. It's usually 10.

11 Q. Thank you.

12 In Paragraph 112 of your First Report, I will just
13 read it quickly: "The Report by the Lindane Board of Review
14 contains a number of criticisms of the activities of PMRA
15 related to the re-evaluation of lindane. Some criticisms are
16 outside the scientific area and deal with the procedural issues
17 in the Special Review."

18 As far as the procedural issues go, we can agree that
19 you are not opining on those; is that right?

20 A. That's correct.

21 Q. On the arithmetic that we just talked about, the
22 uncertainty factors, I wanted you to clear up some confusion in
23 my head about how that was done at the--in the Re-evaluation
24 Note, and you discuss it at Paragraph 130 of your First Report.

25 Unfortunately, there is no other way to go through

11:51 1 this other than to actually speak this thing. "With the
2 exception of the acute dietary Reference Dose to all other
3 NOAELs, a total uncertainty factor of 1000-fold was applied.
4 This included two uncertainty factors of 10-fold each for
5 interspecies differences and intraspecies variability, and an
6 additional 10-fold uncertainty factor for additional concerns.
7 Previously, these additional concerns were identified as
8 sensitivity of the young, about 3-fold, uncertainty factor and
9 immunotoxic and endocrine effects also about--approximately
10 3-fold uncertainty factor."

11 "In the 2008 REN, there is no clear specific
12 discussion of the additional 10-fold uncertainty factor, though
13 these three end points are briefly considered," and you cite
14 where.

15 "It would appear that there was a diminished level of
16 concern for immunotoxicity with respect to the previous
17 evaluation and testimony. For the acute dietary Reference
18 Dose, an additional uncertainty factor of 3-fold was chosen as
19 the NOAEL was derived from a developmental study."

20 Okay. So, the way I read this is--I'm going back to
21 the middle of that paragraph--previously these additional
22 concerns were identified as sensitivity of the young,
23 approximately 3-fold; and immunotoxic and endocrine effects,
24 approximately 3-fold. Multiply those together, we get the 10X.
25 That was from the original Special Review; is that right?

11:52 1 A. Yes.

2 Q. Okay. Then it would appear, you say, that there was a
3 diminished level of concern for immunotoxicity. Doesn't sound
4 clear, but let's accept that.

5 For the acute dietary reference dose, an additional
6 uncertainty factor of 3-fold was chosen.

7 Now, while there was decreased concern for
8 immunotoxicity, apparently, there still remains sensitivity of
9 the young at about 3-fold and endocrine effects at about
10 3-fold.

11 A. Yes.

12 Q. And if an additional Reference Dose, as you say at the
13 last line in that paragraph, an additional uncertainty factor
14 of 3-fold was chosen, wouldn't we now have an additional
15 uncertainty factor of 30?

16 A. No.

17 Q. Good.

18 A. Perhaps my language was not very clear. What I meant
19 here is that, when consider--if you look at the first sentence
20 of Paragraph 130, it says "With the exception of the ARfD"--

21 Q. Right.

22 A. --"to all other NOAELs, a total UF of 1000 was
23 applied."

24 So, the last sentence in this paragraph reconnects to
25 the first statement here, and discuss the ARfD.

11:54 1 Now, the ARfD was a number based on a developmental
2 study. Therefore, instead of applying a safety factor of 1000,
3 they only applied a safety factor of 300, which consists of the
4 following: A 10-fold uncertainty factor for interspecies
5 differences, a 10-fold safety factor for intraspecies
6 difference, and a 3-fold safety factor for additional
7 toxicological concerns--in this case, endocrine toxicity,
8 primarily. They didn't apply the developmental neurotoxicity
9 safety factor because the number from which this Reference Dose
10 originates was coming from a developmental study. So the
11 developmental aspects were already included in that number.
12 And so for this particular Reference Dose, the safety factor
13 applied was 300, not 1000, so it was not in addition to the
14 previous.

15 Q. All right. So, in the REN itself, then they would
16 have ended up with, depending on the particular exposure
17 scenario they were looking at, they would have ended up with
18 two different overall uncertainty factors: One a thousand, and
19 one 300?

20 A. Yes, because it all depends on where you derive your
21 no observed adverse effect level, and for--you know, this is
22 not related to occupational exposure. This is related to
23 exposure of the general population. And they derived this
24 number, as you can read in Paragraph 129 from the developmental
25 neurotoxicity study, which provided a NOAEL of 0.8 milligram

11:56 1 kilo body weight data. And to this number they applied a
2 300-fold safety factor. No need to apply a safety factor for
3 developmental neurotoxicity because the number was already
4 derived from a developmental neurotoxicity study.

5 Q. All right. For purposes of the occupational exposure
6 analysis, it was a thousand, the overall uncertainty factor
7 in--yes?

8 A. Yes.

9 Q. And so it was the same as the Special Review.

10 A. Yes.

11 Q. Okay. In your Report, and indeed in the REN itself, I
12 was trying to find the justification that the Board at least,
13 the Lindane Board of Review as we saw, was missing from the
14 original Special Review. In your Paragraph 145, about halfway
15 down, at the sentence--it's about six lines down from the top
16 of Paragraph 145, you say, "Nevertheless, I feel that, given
17 the importance of this issue in the overall risk evaluation of
18 lindane, this aspect needed perhaps a better and more
19 comprehensive re-discussion in the 2008 REN as one may argue
20 that a 3-fold additional uncertainty factor might have been
21 sufficient to accommodate all concerned."

22 Now, the Special Review happened and came out with a
23 conclusion. The Lindane Board of Review at least came out and
24 said the additional 10-fold factor is not justified, and we
25 have the REN in 2008, which comes out with the exact same

11:58 1 number for occupational exposure anyway, but, if anything,
2 well, no justification in addition to the Special Review that I
3 could find.

4 So, my suggestion to you at least is, as to the
5 scientists at PMRA and the scientists at the Lindane Review
6 Board, they did not agree. They did not justify--PMRA did not
7 justify in the way that the Lindane Board of Review asked them
8 to, because when I lay the Special Review side by side with the
9 REN 2008, I don't see any difference in the justification, I
10 guess, and I was wondering if you did and if you could help me
11 with that.

12 A. Well, as I said earlier, regulators have the
13 possibility of applying different numbers.

14 Q. Yes.

15 A. It could be 3, it could be 10. PMRA applied the
16 factor of 10. The Board of Review said, "Why don't you
17 consider a lower value?" PMRA, in their 2008 REN, decided to
18 stick to their number and applied again a 10-fold safety
19 factor. As I mentioned earlier, you could say that this is
20 leaning toward a somehow conservative position, but in my
21 opinion, it is a scientifically acceptable position and
22 justified by the role and mission of PMRA.

23 One other thing that--it's also written in the
24 paragraph you pointed out to--is that even if PMRA had chosen a
25 safety factor of 300 instead of 1000, thereby somehow appearing

12:00 1 to be a little bit less conservative or assuming that this
2 additional 3-fold safety factor instead of 10 would have
3 covered all the toxicological concerns, several of the values
4 of the margin of exposure, about 50 percent of those under
5 different scenarios would have still been below the target of
6 300. And on this basis alone, PMRA could have reasonably
7 concluded that it was an acceptable risk for workers the
8 continuous use of lindane.

9 So, in the end, this is what I want to say: They
10 chose 1000, but even if they had chosen 300, the bottom line
11 would have been the same.

12 Q. We were talking about justifying the selection as
13 opposed to the end result of the whole analysis of the Risk
14 Assessment. But, in any event, had a lower uncertainty factor
15 had been chosen, that would go to the risk mitigation issue or
16 the potential for risk to be mitigated, I would assume; is that
17 right?

18 A. Well, risk mitigation, which as I said in my answer to
19 one of your first questions, which is not my specialty, it's
20 not really part of Risk Assessment.

21 Q. Right.

22 A. It's part of risk management.

23 Q. Right.

24 A. And it refers to things you can do basically to
25 decrease exposure: Can you use a different type of gloves?

12:03 1 Can you use some overalls? Can you use a clothes transfer
2 system? And so on.

3 And these mitigation measures, personal protective
4 equipment measures, are taken into account in the evaluation of
5 pesticides, including lindane. In fact, if you just compare
6 the margin-of-exposure values in the 2001 Special Review with
7 those that are indicated in the 2008 Re-evaluation Note, you
8 will find that they are different. They are higher in the 2008
9 REN. And why are they higher? They are higher because, for
10 exposure assessment, the 2001 REN utilized the 1992 Dupree
11 study where certain mitigation measures and personal protective
12 equipment was used; while in the 2008 Re-evaluation Note, PMRA
13 utilized the 2004 Jones and Korpalski study where additional
14 personal protective equipment was used, and this obviously led
15 to a decreased exposure which explains the higher margin of
16 exposure number that were obtained in the 2008 REN.

17 Q. And just for my lay understanding, a higher margin of
18 exposure means a more--a smaller amount of risk to be
19 mitigated?

20 A. Yes. A target here is 1000.

21 Q. Right.

22 A. Which is determined by the uncertainty factors. And
23 so you want your margin of exposure, which is basically the
24 ratio between the no-observed effect level and the exposure to
25 be higher than 1000. So, you want to have high margin of

12:05 1 exposure.

2 Q. And so, for example--were you finished?

3 A. Yes.

4 Q. Okay.

5 If the PMRA had chosen a less conservative--your
6 word--uncertainty factor of 300, the margins--the mitigation
7 measures that might have been possible to reach and exceed
8 that--in other words, to get the 350 or 400 margin of exposure
9 or something over--well, I shouldn't use that--would be
10 smaller. The mitigation measures would not have to have been
11 as drastic as possible had it had an uncertainty factor that
12 was smaller be--had been used; is that right?

13 A. I cannot answer specifically to your question. I
14 would only say that for some of the exposure scenarios, the
15 margin of exposure, even considering the Jones and Korpalski
16 study, which already included a lot of mitigation measures,
17 were in the single digit, and even with a target of 300, you
18 know, unless you had the workers go around with a space suit or
19 something, I don't know how you could manage, but again, this
20 is not my area of expertise, so I am just guessing.

21 Q. Oh, all right.

22 Could I ask you to turn to another part of
23 your--actually, this is your Second Expert Report. Sorry,
24 that's what I'm looking at now. But don't put your First
25 Report away because I guess I want to put together two

12:08 1 statements of yours and have you help me with them.

2 Do you have both Paragraph 52 of your First Report as
3 well as 59 of your Second Report open, I should say.

4 All right. You've got them?

5 A. Yes.

6 Q. Good. First, I'm looking at Paragraph 52 of the First
7 Report--I kind of misled you there--and it says: "In general,
8 the chemical Risk Assessment process consists of two parts: An
9 analysis of the potential health effects caused by a chemical,
10 the so-called 'hazard characterization'; and, two, an estimate
11 of the exposure to that particular chemical. The first part of
12 the process is aimed at determining the level above which a
13 chemical is believed to cause adverse effects in humans; the
14 second part is aimed at determining actual exposure to the
15 chemical." And that makes perfect sense, at least to me.

16 Now I'm going to--and so, to put it in summary form,
17 one looks at the potential health effects of a chemical, and
18 then one looks at how much of that chemical the subject would
19 be exposed to, and mitigation would come in there to control
20 the amount or limit the amount that the subject would be
21 exposed to. In order to perform the Risk Assessment you'd have
22 to do both of those steps; is that right?

23 A. What I write--what I wrote in Paragraph 52 simply
24 points out in later--almost what is the process of Risk
25 Assessment, you have to consider whether the chemical is toxic

12:10 1 and how toxic, and all this information is usually based on
2 animal studies.

3 And then you have to determine whether and how much is
4 exposure to this chemical because it's product of this
5 interaction between toxicity and exposure which produces risk
6 of adverse health effects.

7 Q. I understand.

8 A. You can have a barrel of the most toxic chemical in
9 the world, but if it stays somewhere, it doesn't leak, nobody
10 gets ever exposed to this, then the risk would be zero
11 basically or close to zero.

12 So, it's important to consider toxicity and exposure.

13 Q. I appreciate that summary. It's much better said than
14 I did.

15 Now I'm looking at Paragraph 59 of your Second Report,
16 and there you're summarizing the debate that's going on, the
17 exchange of letters that's going on between PMRA and Chemtura
18 after the draft REN has come out, and I wanted to ask your view
19 about the last sentence and a quote from the PMRA there. Pick
20 up the text of the sentence at the word "finally": "PMRA
21 indicates that since it finds health and environmental risks of
22 lindane to be unacceptable, there is no point of suggesting
23 optimal production facilities for an active ingredient that is
24 inherently unsafe. Worgan in 2009-C."

25 For a toxicologist, in accordance with the definition

12:12 1 that you've just given about Risk Assessment where you look at
2 toxicity and you look at exposure, I suggest to you that there
3 is no such thing as a product which is inherently unsafe. If
4 the exposure is zero, the risk could presumably be zero; isn't
5 that right?

6 A. Well, one of the things we say in the field is that
7 it's always difficult or impossible to prove a negative, and so
8 overall I agree with your statement.

9 As you can see, these are not my words. These are
10 words by Mr. Worgan--

11 Q. I appreciate that, yes.

12 A. --which I quote.

13 Q. All right.

14 MR. SOMERS: Those are all my questions, Madam Chair.
15 Thank you.

16 Thank you very much, Dr. Costa.

17 PRESIDENT KAUFMANN-KOHLER: Thank you.

18 Are there redirect questions, Mr. Kurelek?

19 MR. KURELEK: I have a few questions for Dr. Costa.

20 REDIRECT EXAMINATION

21 BY MR. KURELEK:

22 Q. I'll work in the order that Mr. Somers did with his
23 questions.

24 Starting with your qualifications, Professor, would
25 you please tell the Tribunal what your education is.

12:14 1 A. I have a doctorate in pharmacology from the University
2 of Milano, Italy, and then I did post-doctoral training in
3 toxicology, first at University of Milano and then at
4 University of Texas in Houston Medical Center.

5 Q. Can you give us a brief history of your academic
6 career.

7 A. Since 1983, I have been at the faculty at the
8 University of Washington in Seattle.

9 I raised through the rank. I was promoted Full
10 Professor with tenure in 1992. I have directed a toxicology
11 program at University of Washington for a decade, from 1991 to
12 2000.

13 Q. And which professional organizations do you belong to?

14 A. I'm a member of the Society of Toxicology, the U.S.
15 Society of Toxicology; Society for Neuroscience; International
16 Neurotoxicology Association; Italian Society of Toxicology; and
17 other scientific societies, as well.

18 Q. And have you won any awards for your academic work?

19 A. Early in my career, I won a Special Emphasis Research
20 Career Award from the National Institute of Occupational Health
21 in the U.S.

22 I won an Achievement Award for Young Toxicologists
23 from the Society of Toxicology.

24 I won a Zeneca Award also from the Society of
25 Toxicology.

12:15 1 I am an elected Fellow of the American Association for
2 the Advancement of Sciences.

3 I am a European-certified toxicologist.

4 I'm also a Fellow of the American Academy of
5 Toxicological Sciences.

6 And--

7 MR. SOMERS: Madam Chair, I'm sorry to
8 interrupt--indulgence as I interrupt--this is not arising from
9 my cross-examination. It appears to be a sort of direct
10 examination that postdates the cross-examination. And all of
11 these matters, as impressive as they are, a matter of--clearly
12 a matter of record in Dr. Costa's thorough Report.

13 PRESIDENT KAUFMANN-KOHLER: Mr. Kurelek?

14 MR. SOMERS: I nearly observed--

15 MR. KURELEK: I disagree.

16 PRESIDENT KAUFMANN-KOHLER: You disagree? Why do you
17 disagree?

18 MR. KURELEK: I disagree. What Mr. Somers did at the
19 beginning of his cross-examination, in my view, was call into
20 question the qualifications of Dr. Costa. These are questions
21 that I would have raised on the--

22 PRESIDENT KAUFMANN-KOHLER: Sorry, could you point us
23 to where Mr. Somers puts Professor Costa's qualifications into
24 question? Because that's not how I remember it.

25 MR. KURELEK: It was at the very beginning, and my

12:17 1 interpretation of his questions concerning Dr. Costa
2 not--sorry?

3 PRESIDENT KAUFMANN-KOHLER: I know what you mean. But
4 I understood the questions as defining the scope of the
5 expertise, which certainly is interesting to the Tribunal. Is
6 that what you had in mind?

7 MR. KURELEK: That's where I'm headed more broadly
8 perhaps than Mr. Somers was with his questions, but that's
9 exactly where I'm headed.

10 PRESIDENT KAUFMANN-KOHLER: Not an expert in risk
11 mitigation, expert on health effects of pesticides, but not
12 agricultural side. Is that what you have in mind?

13 MR. KURELEK: That's part of where I'm headed, yes.

14 PRESIDENT KAUFMANN-KOHLER: That had nothing to do, in
15 my understanding, with the quality of Professor Costa's
16 expertise, but it was just a matter of defining the scope.

17 MR. KURELEK: Indeed. Indeed.

18 PRESIDENT KAUFMANN-KOHLER: So, is there anything
19 after you have said that? Is there anything else you would
20 like to ask? And I think we can leave this expertise aspect
21 aside or what Professor Costa has now answered to your
22 questions we could find in his CV actually?

23 MR. KURELEK: Yes, but his CV is, for instance, his
24 bibliography is over 50 pages long, and I think he has over 245
25 or 250 abstracts, 14 books and the like, and, for a

12:19 1 non-scientist such as me and, I presume, the Tribunal Members,
2 it might not be obvious. For instance, what percentage of that
3 bibliography relates to pesticides, for instance, as opposed to
4 some area of toxicology. And that's partly where I was headed.

5 PRESIDENT KAUFMANN-KOHLER: Fine. So, why don't you
6 ask him this question.

7 MR. KURELEK: Okay. That's exactly where I was headed
8 next, and I will try to limit myself to perhaps two or three
9 more questions.

10 ARBITRATOR CRAWFORD: There is no speed limit in the
11 Tribunal.

12 MR. KURELEK: There is no speed limit in the Tribunal?

13 ARBITRATOR CRAWFORD: Go on.

14 MR. KURELEK: Thank you.

15 BY MR. KURELEK:

16 Q. So, as I tip my hat here, Professor, turning to the
17 bibliography that's attached to your first expert report--and I
18 know that it's over 50 pages long, and I have already given the
19 numbers I guess of what you published, the 14 books, 65 book
20 chapters, and 245 papers and 220 abstracts--can you tell me
21 what percentage or roughly of that written material deals with
22 pesticides?

23 A. I would say about half of my scientific production,
24 which may even be higher than the one you mentioned since
25 almost two years have gone by, maybe about half would be

12:20 1 devoted to pesticides.

2 Q. And two more questions: Can you point to some of the
3 more significant publications that would relate to the evidence
4 you're giving in this NAFTA hearing.

5 A. Well, I've carried out a lot of original research in
6 the area of pesticides, and there are many peer-reviewed
7 articles that show this. I have published a couple of books on
8 pesticides. I have published several book chapters related in
9 general to the toxicology of pesticides, in particular--I like
10 to mention one--which is a chapter called Toxicology of
11 Pesticides. It's included in a book called Casarett and
12 Doull's Toxicology. And that's a most authoritative book in
13 toxicology in the U.S. and also in the world, and it's
14 sometimes referred to as the bible of toxicology. And there
15 are additional publications that I have in the area of
16 pesticides, and you can see the details in my bibliography.

17 Q. And turning to the final subject on this topic,
18 Mr. Somers asked some questions about your qualifications to
19 talk about risk mitigation.

20 Do you feel qualified to provide an opinion on the
21 effects that risk mitigation would have on the context of a
22 Risk Assessment? You--earlier you made the distinction between
23 Risk Assessment and risk management. Are you qualified to talk
24 to us today about risk mitigation?

25 A. I mentioned earlier that I'm not an expert on risk

12:22 1 mitigation. I know what it is, in general. I know its
2 objective which is that of reducing workers' exposure, but
3 beyond this I would not comment.

4 Q. Turning to the complex issue of uncertainty factors,
5 and we've heard many witnesses at this hearing talk about the
6 10 times 10 times 10. You referred to it, as well. The first
7 10 uncertainty factors often referred to as interspecies
8 differences. The second one is intraspecies differences.

9 Is it true that before the Board of Review, Chemtura
10 argued that there should be a lower than 10 times 10--in other
11 words, a hundred--uncertainty factors for lindane? Just for
12 those first two.

13 A. That's correct.

14 Q. And what was the Board of Review's conclusion on
15 Chemtura's submission with respect to those first two
16 uncertainty--groups of uncertainty factors?

17 A. The Board of Review didn't buy the arguments raised by
18 Chemtura and reiterated the fact that the first two safety
19 factors should be 10 and 10 for a total of 100. Chemtura had
20 recommend--suggested to use 32 instead of 100. And this was
21 based on some technical aspects related to toxicodynamic and
22 toxicokinetic--I don't know if you want me to go into this
23 technical detail, but basically the bottom line is that they
24 proposed that the first two safety factors should be a total of
25 32 instead of 100, and the Board rejected this proposal and

12:24 1 agreed with PMRA that the first two should be 100.

2 Q. Okay. And then in your testimony you also talked
3 about how the Board recommended that the PMRA reconsider the
4 final 10-fold factor that it applied for all three of those
5 other uncertainty factors; is that right?

6 A. Yes.

7 Q. And did the Board of Review consider an additional or
8 a different uncertainty factor in the REN process? It, in
9 other words, followed the Board of Review's recommendation to
10 go back and re-look at whether it should apply a 10-fold safety
11 factor for that final third?

12 A. Well, the third uncertainty factor chosen in the
13 Special Review was 10. As we discussed earlier, the Board of
14 Review suggested to PMRA to consider a lower uncertainty
15 factor. In the 2008 REN, PMRA decided to maintain the 10-fold
16 additional safety factor.

17 Q. There is a related question here: Mr. Somers pointed
18 you to a paragraph in your First Expert Report, I believe,
19 where you limit yourself, so you're not talking about
20 procedural policy issues here. You're opining about scientific
21 issues; is that right?

22 A. That's correct.

23 Q. But would you agree that--and again, Mr. Somers was
24 heading down this path--that there is a certain degree of
25 policy that impacts on the choice of uncertainty factors? Is

12:26 1 that correct that an Agency would use in terms of deciding
2 which number it should apply?

3 A. Well, each agency, I guess certainly PMRA, from the
4 document that they've read, have their internal policy
5 document.

6 And in case of PMRA, I specifically recall that there
7 is a table in one of their policy documents, which indicates in
8 detail the uncertainty factor that the scientists should
9 consider in different situation, and this specifically says,
10 for example, "developmental neurotoxicity it should be a factor
11 between three and 10," and then it goes more in detail on other
12 aspects of developmental neurotoxicity. So, it depicts
13 different scenarios that you could encounter in a database.

14 So, from this point of view, yes, there is a policy.

15 Q. And is this what you were referring to when you were
16 talking about the PMRA adopting a perhaps more conservative UF?
17 But that's part of their mandate in terms of when their
18 entitlement is--in terms of their mandate to protect Canadian
19 health and environment.

20 A. Yes. They--they relied on their internal policy for
21 pesticide registration; and, as I mentioned earlier, there is
22 an option in general to choose between several numbers going
23 between 2 and 10, and PMRA chose 10, and this is scientifically
24 acceptable. It's in accordance with their own policy. But, as
25 I mentioned earlier, could be seen by somebody as slightly

12:28 1 conservative.

2 Q. Okay. Then turning to my final set of questions on
3 the same related issue, you had used the phrase, I think,
4 single digits when you referred to MOEs, margin of exposures,
5 when you were comparing an application of 300 uncertainty
6 factors versus 1000, and I just wanted to make sure the
7 Tribunal understands exactly what you meant by that, because I
8 think again Mr. Somers was headed down the path, "Well, if you
9 apply a lower uncertainty factor, 300, versus a higher one,
10 1000, then presumably you would have to--you wouldn't have to
11 have the same degree of PPEs or personal protective devices to
12 protect yourself." And you also made the comment about a moon
13 suit.

14 So, if you could maybe just take us back to what you
15 meant by "single digits," so we would understand what would
16 happen in terms of lindane in a 300 UF was applied versus the
17 1000 that Canada--PMRA applied.

18 A. Well, first of all, the 2008 REN used as study for
19 determining exposure the Jones and Korpalski study, which
20 already included a lot of mitigation measures and personal
21 protective equipment and so on. And they calculated, they
22 being the PMRA's scientists, they calculated their margin of
23 exposure, so the target was 1000, which means that for a margin
24 of exposure to be acceptable, it had to be higher than 1000,
25 had they chosen 300 as their uncertainty factor, while each

12:30 1 margin of exposure should have been higher than 300.

2 What I said with the single digit was that, to my
3 recollection, in the tables in the 2008 REN, which described
4 the margins of exposure, some of this margin of exposure were
5 in the single digit range. There were eight, for example. So,
6 eight is substantially lower than 300 or their 1000. And so, I
7 don't know, given that the Jones and Korpalski study already
8 included a number of mitigation measures, I don't know which
9 other mitigation measures you could apply in order to increase
10 this margin of exposure for a value of eight to 300 or to 1000.

11 Q. So, just so we are absolutely clear, in order for
12 lindane to be safe if you apply these mitigation measure, they
13 should rise above the UF factor. The numbers should be above
14 300 or in the case of PMRA 1000, if you want to be safe, the
15 number has to be above 1000?

16 A. Yes. It has to be a high number, to be safe.

17 Q. Okay. Thank you.

18 MR. KURELEK: Those are my questions.

19 PRESIDENT KAUFMANN-KOHLER: Thank you.

20 Do my co-Arbitrators, Judge Brower, Professor
21 Crawford, have any questions?

22 QUESTIONS FROM THE TRIBUNAL

23 ARBITRATOR CRAWFORD: If you look at--Professor Costa,
24 if you look at Paragraph 315 of the Board of Review Report,
25 which is Volume 9, Tab 275, at Page 51, it says, "The Board is

12:32 1 not persuaded that the available pharmacokinetic and
2 pharmacodynamic data are adequate to support a departure from
3 the standard default assumption of 100 times."

4 Does that mean they supported the application of a
5 standard default? Obviously, they were talking about
6 Crompton's argument that it should be reduced below a hundred,
7 and they reject that. But reading their Report, which UF do
8 you think they supported?

9 THE WITNESS: Well, this paragraph deals with a topic
10 that I mentioned earlier, the fact that Crompton/Chemtura had
11 suggested to the Board that instead of applying a uncertainty
12 factor 100 to take into account for interspecies and
13 intraspecies difference, one could get by by applying
14 uncertainty factor of 32, and this was based on technical
15 aspects, basically a subdivision of each safety factor in two
16 components, toxicokinetic component and a toxicodynamic
17 component. For example, in case of the interspecies difference
18 factor, the toxicokinetic component is--was 4, the
19 toxicodynamic was 2.5. Chemtura made the arguments that
20 toxicokinetic could be reduced from 4 to 2, and so the total
21 would have been 2.5 times 2 instead of 2.5 times 4 for a total
22 of 5 instead of 10.

23 And they made similar argument for the other
24 uncertainty factors, so that the total, the first multiplied by
25 the second, would be 32.

12:34 1 The Board did not agree with this. They said there is
2 insufficient evidence that this approach, though an interesting
3 approach, because the Board, indeed, said the idea was an
4 interesting idea. If we had enough data, information to be
5 able to come up with numbers other than 10, because, you know,
6 sometimes in the toxicology arena we laugh, we say, "why do we
7 have this number 10? You know, where does it come from?" I
8 say, "Well, because we have 10 fingers. If we had 11 fingers,
9 maybe the number would be different." But the number is 10, by
10 default. And if we could find scientific ways to make it more
11 precise, this would be very welcome.

12 But in this particular case, the Board thought that
13 the scientific evidence put forward by Chemtura to reduce these
14 two uncertainty factor was not strong enough, so it
15 recommended, as it says in this paragraph, that the first two
16 uncertainty factors should be kept as they were, 10 and 10 for
17 a total of 100.

18 ARBITRATOR CRAWFORD: The Board went on to doubt the
19 validity of the additional uncertainty factors taken into
20 account in the Special Review. They referred to endocrine
21 effect, toxicological endpoints and so on. And what it says at
22 Paragraph 226 on Page 54, "The Board feels that reconsideration
23 of these elements could potentially bring the MOEs within an
24 acceptable range."

25 When I read that, I thought they were going to go on

12:36 1 and say, "In those circumstance, lindane could or even should
2 have been passed the examination."

3 First of all, do you agree that's what they're saying?
4 And, secondly, do you agree with the statement that I have just
5 read?

6 THE WITNESS: Well, the Board had some criticism and
7 some suggestion for PMRA. And, you know, the suggestion was,
8 for example, the one to consider a lower additional uncertainty
9 factor. Another important suggestion was to do not
10 conduct--not conduct aggregated exposure between dermal and
11 inhalation route, and this was a scientifically correct
12 observation, on part of the Board. And they also recommended
13 that the PMRA utilize the more recent Jones and Korpalski study
14 instead of older Dupree 1992 study which was a reasonable
15 suggestion, but obviously the study was not available at the
16 time of the Special Review.

17 So, all this suggestion and recommendation were taken
18 into account and considered by PMRA in their 2008 REN. And,
19 indeed, they utilized the Jones and Korpalski study. They did
20 separate inhalation and dermal exposure scenarios.

21 I should also mention that this was a criticism of the
22 Board review of the PMRA, but I consider this a minor criticism
23 because in occupational exposure, dermal route, it's a primary
24 route of exposure, so the inhalation component--it's a minor
25 component. Nevertheless, it's technically a correct

12:39 1 observation on part of the Board.

2 With regard to uncertainty factors, PMRA chose to
3 retain what they had decided, which is 10, instead of another
4 lower one, and this again, it's within acceptable science and
5 Risk Assessment. But what I think the Board says here is that
6 if you consider all of these aspect, most likely the margins of
7 exposure will go up. And as we said earlier, what we want for
8 a chemical to be safe is this margin of exposure are higher
9 than our target.

10 And, indeed, they went up. As I mentioned earlier, if
11 you compare the margin of exposures indicated in the Special
12 Review in 2001 with those indicated in 2008 Re-evaluation Note,
13 you will see that the second ones are higher. This is because
14 all these issues were considered by PMRA. And what the Board
15 says here is that the consideration of all these elements could
16 potentially bring the margin of exposure within an acceptable
17 range. And, unfortunately, they were not in an acceptable
18 range. They were higher, but not high enough. So, they were
19 still below the 1000 target. And as I mentioned earlier, even
20 if PMRA had chosen a 300 target, many of those MOEs would have
21 been below that target.

22 ARBITRATOR CRAWFORD: Is it very unusual to have an
23 additional uncertainty factor of 10 leading to a target of
24 1000? Is that unusual? Unheard of?

25 THE WITNESS: No, that's not--that's not unusual at

12:41 1 all.

2 The first two are standard, so the first to 100.
3 Then, it depends on the database, whether there are
4 toxicological concern of different nature. One could apply a
5 10-fold uncertainty factor. In the United States, for example,
6 because of because of the Food Quality Protection Act, there is
7 an immediate default 10-fold safety factor applied for taking
8 into account developmental neurotoxicity, unless you prove that
9 the compound is not a developmental neurotoxicant. And thereby,
10 the companies are now required to do all this developmental
11 neurotoxicity study for U.S. registration.

12 There are cases I'm aware of where a 1000-fold safety
13 factor was applied both in Canada, I think, and the U.S. For
14 example, compounds like malathion, I think, or rotenone. I
15 think the same--the compound was mentioned earlier this
16 morning, Helix itself at the 1000-fold safety factor. So,
17 there are other cases where because of toxicological concern, a
18 1000-fold safety factor has been used.

19 ARBITRATOR CRAWFORD: Thank you very much.

20 MR. KURELEK: If I could just clarify, when the 1000
21 UFs were applied in those cases, did the pesticide pass, unlike
22 in the lindane case?

23 THE WITNESS: The case that the pesticide that I
24 mentioned, they all passed, yes.

25 PRESIDENT KAUFMANN-KOHLER: Have you considered what

12:43 1 additional UF you would have attributed? You're saying in your
2 First Report that one may argue that 3 would have--may have
3 been sufficient. Is that what you would have taken? Or have
4 you not looked at it this way, which may be an answer as well?

5 THE WITNESS: Well, I have not been asked to come up
6 with an opinion of what would I have done if I were assigned
7 the duty of doing this Special Review on lindane. That's
8 not--that was not the scope of my Report, which was, instead,
9 that of bringing to this table my opinion on whether, I think,
10 what PMRA did was within acceptable science, and I think it
11 was.

12 In order for me to answer your questions specifically
13 and tell you with certainty, I think it should be three or five
14 or 10, I should, indeed, review all the original studies that
15 were reviewed by the PMRA scientists.

16 PRESIDENT KAUFMANN-KOHLER: Thank you. I understand
17 you have not done this review, and so I will not continue. I'm
18 sure you probably have some kind of an opinion on what you
19 would have done, but I'm not asking this now.

20 Do I understand you correctly that if you had--if PMRA
21 had chosen three, the outcome of the Special Review in terms of
22 acceptability of risk would not have changed?

23 THE WITNESS: Yes, because if you look at the margin
24 of exposure values in the 2008 REN, you see that a
25 large percent of them, about half of them, were still below the

12:45 1 target, below 300. And so, in a situation where you have so
2 many different exposure scenarios where the exposure is
3 considered unacceptable for the safety of workers, then PMRA
4 could reasonably conclude or reach the same conclusion that the
5 compound was not safe.

6 PRESIDENT KAUFMANN-KOHLER: Thank you. I have no
7 further questions.

8 No further questions on any side? Then--

9 MR. SOMERS: I do have one arising from--

10 PRESIDENT KAUFMANN-KOHLER: Of course, then, please
11 ask it.

12 RE-CROSS-EXAMINATION

13 BY MR. SOMERS:

14 Q. Dr. Costa, in responding to question from the Chair,
15 you were discussing the fact that even a factor of three had
16 been chosen, some of the margins of exposure were so low that
17 3, 10, same result.

18 Now, I realize that you have confirmed a couple of
19 times that you're not an expert on mitigation, so I'm just
20 asking you if this is reasonable.

21 You had, in jest, earlier said, "Well, if risk is that
22 high or the margins of exposure that low, you need a moon suit
23 or something like that in order to control or mitigate the risk
24 sufficiently." Now, that's obviously an impractical
25 suggestion. But the personal protective equipment and these

12:46 1 sorts of this measures are things a worker would use to coat
2 themselves to diminish the exposure, but aren't there other
3 means of reducing exposure, such as controlling and reducing
4 the rate at which the material is supplied, the area over which
5 it's applied, the coating with which it is applied to the
6 agricultural commodity and so forth?

7 A. As I said--

8 Q. I--I'm sorry, go ahead.

9 A. As I said, mitigation is not my area of expertise. I
10 would not feel comfortable providing an opinion on this.

11 Q. Okay. Well, as long as you take the bit about the
12 moon suit back, then we will be agreed.

13 A. It was just a joke.

14 Q. It--so is mine. Thank you very much.

15 PRESIDENT KAUFMANN-KOHLER: Thank you very much,
16 Professor Costa. That completes your examination.

17 (Witness steps down.)

18 PRESIDENT KAUFMANN-KOHLER: We will now take a lunch
19 break of an hour.

20 The Tribunal would like to see council just
21 to--because we have discussed this question of Post-Hearing
22 Briefs and oral submissions, and would like to make some
23 suggestions before you further consider the issues, just off
24 the record, if that is fine with you.

25 (Whereupon, at 12:47 p.m., the hearing was adjourned

12:47 1 until 1:45 .m., the same day.)

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 AFTERNOON SESSION

2 PRESIDENT KAUFMANN-KOHLER: May I ask someone in the
3 back to close the door, please. Thank you.

4 JAMES AIDALA, CLAIMANT'S WITNESS, CALLED

5 PRESIDENT KAUFMANN-KOHLER: Mr. Aidala, thank you for
6 waiting and thank you for being here.

7 For the record, you're James Aidala?

8 THE WITNESS: Yes, I am.

9 PRESIDENT KAUFMANN-KOHLER: You're Vice-President
10 Policy and Government Affairs for The Acta Group.

11 THE WITNESS: That's correct.

12 PRESIDENT KAUFMANN-KOHLER: You're heard as an expert.
13 You have given two expert reports.

14 THE WITNESS: That's correct.

15 PRESIDENT KAUFMANN-KOHLER: And as an expert you are
16 under a duty to make only such statements that are in
17 accordance with your sincere belief. Can I ask you to confirm
18 this by reading the expert declaration.

19 THE WITNESS: Of course.

20 I'm aware that I'm heard as an expert witness in this
21 arbitration and that I'm under a duty to make only such
22 statements which are in accordance with my sincere belief.

23 PRESIDENT KAUFMANN-KOHLER: Thank you.

24 You know how we proceed. You have been here earlier
25 already, and so I will turn to Mr. Somers for direct questions,

14:01 1 please.

2 MR. SOMERS: Thank you, Madam Chair.

3 DIRECT EXAMINATION

4 BY MR. SOMERS:

5 Q. Good morning, Mr.--no, it's afternoon, Mr. Aidala.

6 It's been a while.

7 Before I ask you to adopt your statements, I
8 understand that there is some corrections you would like to
9 make?

10 A. I do. Unfortunately, the pagination of the document I
11 was referring to in my second statement had a different
12 pagination than your bundle, so I have a handful of just those
13 kind of corrections as well as a few editorial ones.

14 My first statement at Paragraph 18, at the end of the
15 first sentence, it should read "environmental effects."

16 And the last sentence of Paragraph 18, let me just
17 read the sentence and then correct it for the record:
18 "Notwithstanding these concerns, the EPA later did issue
19 tolerances for a number of foodstuffs," strike "treated with
20 chlorfenapyr," insert "residues which," insert "could allow,"
21 et cetera.

22 And then in my second statement, again most of these
23 are because of the pagination differences, so the Tribunal is
24 not confused about the pagination. The pagination I have in
25 document I was reviewing from EPA, I think their Web site is

14:03 1 different than what's in the bundle.

2 Paragraph 17 of my second statement, the reference to
3 Page 46 at Paragraph 17 should be "Page 44."

4 At Paragraph 19, at the end of that paragraph I make a
5 reference to Page 44. That should be "42."

6 In the middle of the next paragraph, Paragraph 20,
7 referring to Page 45, should read "Page 43."

8 In Paragraph 23, on the next page, I do one inserted
9 word that was dropped, "issues which EPA had not explicitly
10 addressed. Insert "explicitly."

11 PRESIDENT KAUFMANN-KOHLER: You're in Paragraph 23; is
12 that right?

13 THE WITNESS: Right.

14 PRESIDENT KAUFMANN-KOHLER: Which line are you in?

15 THE WITNESS: The very first line, which EPA had not
16 insert explicitly addressed.

17 The next page, Paragraph 26, term of art, second line,
18 active ingredient, insert "ingredient pesticides." It's active
19 ingredient pesticides.

20 And then the last change is in Paragraph 27, another
21 page reference towards the very end of the Paragraph 27, refers
22 to Page 44, and that should be Page 42.

23 And again, apologies to the Tribunal for the
24 pagination differences.

25 BY MR. SOMERS:

14:04 1 Q. Thank you. Now I would just ask you if you will
2 affirm and adopt your statements made in this proceeding.

3 A. I do.

4 Q. Thank you.

5 MR. SOMERS: No questions, thank you.

6 PRESIDENT KAUFMANN-KOHLER: Thank you.

7 Please.

8 MR. LUZ: Good afternoon, Madam chair, Professor
9 Crawford, Judge Brower.

10 CROSS-EXAMINATION

11 BY MR. LUZ:

12 Q. Good afternoon, Mr. Aidala.

13 A. Good afternoon.

14 Q. My name is Mark Luz. I am counsel for Canada, and I
15 have a few questions for you, as I'm sure you expected.

16 Just before we get into the substance of the
17 questioning for today, do you have copies of your Expert
18 Opinions with you?

19 A. I do.

20 Q. And do you have--well, actually, if there is anything
21 else available to you that we need, someone will hand them to
22 you, but I just wanted to point out before we proceed the three
23 documents I will be primarily referring to are the 2002 RED,
24 the 2006 HCH Study, and the 2006 Addendum, all of the EPA, and
25 they are in various places, but there is a binder on your desk

14:06 1 as the first Statement of Dr. Goldman, and there I think it's
2 been distributed just for the convenience of the Tribunal and
3 everybody, but, of course, if the Tribunal wants to refer to
4 the same documents that they were referring to last week,
5 that's fine. I understand, if you're taking notes and so on,
6 you may want to use the same copy. But I will give multiple
7 references to make sure that we avoid the problem some of the
8 copies in the Joint Hearing Bundle were missing pages. So,
9 that's why.

10 A. All right.

11 Q. And just to confirm, Mr. Aidala, you were present in
12 the room during the testimony of Mr. Thomson and Mr. Johnson?

13 A. Yes, I was.

14 Q. And first I would like to your professional background
15 for the record.

16 You wrote in your First Report that between 1993 and
17 2000 you worked as an associate Assistant Administrator at the
18 EPA office of prevention, pesticides, and toxic substances; is
19 that right?

20 A. That is correct.

21 Q. I can refer to that as OPP? Is that the acronym?

22 A. No, you should refer to that as OPPTS, not to be
23 confused with OPP, which is EPA's Office of Pesticides
24 Programs, and I hope we try to avoid too many acronyms.

25 Q. Okay. I will try to avoid it, but if I accidentally

14:07 1 say OPP, you will know that I am referring to OPPTS?

2 A. Well, that could be confusing, but I will do my best.

3 Q. Okay, thank you.

4 And in 2000, you are appointed as Assistant
5 Administrator for OPPTS; is that right?

6 A. Yes.

7 Q. Okay. So you overlapped with Dr. Goldman while she
8 was Assistant Administrator of OPPTS; is that right?

9 A. Yes.

10 Q. Okay. So, you were her successor when you were
11 appointed Assistant Administrator in 2000?

12 A. Right.

13 Dr. Goldman left in January '99, at the end of '98,
14 and as of January '99 and for the next two years I was the
15 senior political appointee, and then had the official title at
16 the end of administration.

17 Q. Okay.

18 A. Both those positions are political appointments at
19 EPA.

20 Q. Okay. And in 2001 you left the EPA to join Jellinek,
21 Schwartz & Connolly; is that right?

22 A. That's correct.

23 Q. And you were there from 2001 to 2003?

24 A. That's correct.

25 Q. And since 2003, you have been with The Acta Group?

14:08 1 A. Yes.

2 Q. Okay, since then. Okay. So, since the time you've
3 left the EPA and during the time that you were with JSC and
4 Acta, was Chemtura ever a client of yours prior to being
5 retained in this arbitration, of course?

6 A. Not a client of mine. I don't know they were ever a
7 clients of the firm, those firms. They're not a client of
8 mine. I did no work for Chemtura before this.

9 Q. Okay. So, during that period you were not aware of
10 Chemtura's efforts before the EPA to obtain a tolerance in
11 registration for lindane use on canola? You were not aware of
12 what was--what Chemtura was doing?

13 A. Not--not that I can recall, no.

14 Q. Okay. And during that same period, did you ever work
15 with TSG, Mr. Johnson's Technology Sciences Group?

16 A. Occasionally, in the last eight years, I have worked
17 with TSG staff. I don't think I have worked with Mr. Johnson.
18 He technically would have been my boss when he was head of OPP
19 and I was a summer intern in that program, but that was
20 irrelevant, really.

21 Q. Sure.

22 So, during that time--during this period prior to your
23 engagement as an expert in the arbitration, you were not aware
24 of what the TSG was doing on behalf of Chemtura with respect to
25 obtaining a tolerance for lindane use in the United States?

14:09 1 A. That's correct.

2 Q. Okay, great.

3 I would like to turn now and discuss your opinion,
4 your first opinion, and the likelihood of a canola tolerance in
5 the United States in light of the 2002 RED. If you could turn
6 to Paragraph 11 of your First Report, your first opinion.

7 A. Okay.

8 Q. You write that your goal is to offer your opinion on
9 the likely outcome if Chemtura had pursued its application to
10 the EPA for a tolerance for lindane and canola food products,
11 oil, meal, and seed for crushing; is that right?

12 A. Yes.

13 Q. If we turn to Page 7 of your opinion, which is at
14 Paragraph 26 and 27, the top of the page is a very clear
15 statement of what this section is about, "the likely outcome if
16 Crompton had pursued its application for a tolerance in light
17 of the EPA 2002 RED Assessment." Do you see that?

18 A. Yes, I do.

19 Q. And then paragraph 26 you said that you reviewed the
20 evidence of Mr. Johnson.

21 And in Paragraph 27, you came to the following
22 opinion: "Based on my review of Mr. Johnson's statement and
23 the 2002 RED, in my opinion, the EPA's 2002 RED indicates a
24 strong likelihood that the EPA would have approved registration
25 and a tolerance for lindane in canola, or at a minimum an

14:11 1 import tolerance after fulfillment of the requested data.
2 Assuming prompt submission of this data and allowing for some
3 time for evaluation by the EPA, a decision about the
4 registration and tolerance, or at least an import tolerance,
5 should have been made in early 2003."

6 Now, this paragraph in your opinion here talks about
7 registration and a tolerance as well as an import tolerance, so
8 since they're slightly different issues, let's keep the import
9 tolerance aside, issue aside for a moment, and just focus on
10 lindane, a tolerance for lindane use on canola in the United
11 States. Is that okay?

12 A. Well, it would make a distinction if it was a
13 tolerance, whether it be an import tolerance or tolerance. The
14 two categories as indicated here are tolerance and registration
15 allowing approval in the United States or secondly just in a
16 tolerance.

17 Q. Okay. Great.

18 Now, in addition to the documentary evidence in the
19 record, both Mr. Thomson and Mr. Johnson confirmed in their
20 oral and written testimony that the 2002 RED required a Plant
21 Metabolism Study and that the EPA would not grant a tolerance
22 for lindane use on canola in the United States until that study
23 is submitted. You don't dispute that, do you?

24 A. I would say it was not something that had to be done
25 before any tolerance could issue. I have no reason to dispute

14:12 1 that's what they were told at the time. That's why a tolerance
2 did not issue at that time.

3 Q. Okay. So, I will repeat the question. They both
4 confirmed in their written and oral testimony that the RED
5 required a Plant Metabolism Study to be produced and--

6 A. As a result--I'm sorry.

7 Q. And they both confirmed that the EPA would not grant a
8 tolerance for lindane use on canola before that study was
9 submitted and approved. You don't dispute what they're saying
10 and what the documentary evidence says on that, do you?

11 A. I'm not disputing what they're saying, but I'm
12 attempting to draw a distinction between that the RED said that
13 we had outstanding data requirements, and that's what they were
14 referring to there. And that, secondly, that that would be--at
15 the time that's what they were told was the bar to getting a
16 tolerance approval at the time. I do agree with that.

17 Q. Okay. So, they were told the EPA--that was the bar
18 before getting an approval at that time; is that right?

19 A. Yes.

20 Q. And you don't dispute Mr. Johnson and Mr. Thomson's
21 oral and written testimony in addition to the documentary
22 evidence that they did not submit the study until 2005. You
23 don't dispute that, do you?

24 A. I believe that's the date on the study in question.

25 Q. Okay. And then in addition to the documentary

14:14 1 evidence in the record, Mr. Johnson and Mr. Thomson both
2 confirmed that it was their understanding that the EPA was
3 still reviewing the Plant Metabolism Study in February 2006.
4 You don't dispute that, do you?

5 A. Not that they were--they were reviewing that study as
6 of that time, that's correct, or that would be my understanding
7 also.

8 Q. So, if I go back to Paragraph 27 of your first opinion
9 and see what you write here, you say that a tolerance would
10 have been available for lindane use on canola after fulfillment
11 of the requested additional data by the RED.

12 Is that right?

13 A. Yeah, if--yes.

14 Q. And your conclusion in the last sentence of your
15 testimony here says, "Your conclusion that a tolerance should
16 have been available by early 2003 is based on the assumption of
17 a prompt submission of the requested additional data, and it
18 also assumes some time for the EPA to evaluate that data; is
19 that right?

20 A. That's one--that's an assumption, and that's an area,
21 that's correct.

22 Q. Okay.

23 A. Excuse me, there's also other scenarios that could
24 have obtained, but I think we will get there, but go ahead.

25 Q. I'm clarifying what your assumptions were with respect

14:15 1 to that statement?

2 A. That statement, that's correct.

3 Q. Now, given that Chemtura did not submit the required
4 Plant Metabolism Study until 2005, and given that the EPA was
5 still reviewing the Plant Metabolism Study as of early 2006,
6 February 2006, the key assumptions underlying your opinion that
7 a tolerance decision would have been issued in early 2003 are
8 not present, are they?

9 A. They were not present in realtime, and that's why it's
10 a hypothetical situation about. Had they had a reason to get
11 that information in earlier, and I think those witnesses also
12 testified that they were really focused on Canada. There is,
13 if you will, that's where the action was, that's where the
14 primary regulatory discussion they were having, and what the
15 Tribunal's deliberations have been about, that they were not
16 moving, shall we say, rapidly towards getting their--getting
17 their tolerance back in the States.

18 Q. But since you had no involvement with Chemtura or TSG
19 during the time in question here, you obviously can't speak to
20 what their motivations were, what their incentives were, what
21 they wanted to do. You're only making that observation not as
22 part of your Expert Opinion; is that right?

23 A. I'm making that observation in terms of two things:
24 One is what we referred to here at the Tribunal, but obviously
25 what I wrote this statement before that time.

14:16 1 But mostly that the record would indicate that again,
2 under the hypothetical, that they get that data in. And that
3 kind of study normally takes some amount of time but not as
4 long as it did. And if they had either submitted that study
5 and, I think Mr. Johnson may well have talked about this, if
6 they submitted that study early in 2003, say in the first
7 quarter, and again if there was a priority, a need for a
8 priority to be reviewed, you could see a tolerance in 2003.

9 Q. But again--

10 A. The real events as they occurred are as they occurred.

11 Q. So, as you used the word hypothetical, this is not
12 reality that the Plant Metabolism Study was not submitted until
13 2005. The EPA was still reviewing it in 2006; hence, the basis
14 for your assumption, the basis for your opinion in Paragraph 27
15 you already agreed are not present, therefore you would have to
16 agree that a tolerance for canola--for lindane use on canola in
17 the United States was not possible by early 2003; is that
18 right?

19 A. I would disagree with that. There is also ways that
20 in light of missing data, after the '02 RED, 2002 RED,
21 presented a rather thorough case and analysis of the
22 requirements the EPA would ordinarily have to go through.
23 There were, as I say in my statement, some remaining
24 outstanding data requirements. If there is a need for EPA, and
25 I think Mr. Johnson may have referred to action forcing or

14:18 1 something pressing, EPA would have some options to still find a
2 path for it, notwithstanding those data deficiencies, and
3 that's--well, that's I think what he was referring to at some
4 point.

5 Q. But again, since you weren't there at the time, you
6 can't comment on what priorities Chemtura was setting for
7 itself, why it took so long, why it took three years to do a
8 study that you classify as routine and so on. So, you're only
9 talking about the fact that your opinion is based on the
10 assumption of prompt submission of the required data. You've
11 already said the Plant Metabolism Study was required data;
12 hence, you have to agree that tolerance was not possible by
13 early 2003. Is that right?

14 A. I would disagree again. The tolerance is possible in
15 early '03 because if EPA had made some bridging assumptions,
16 for example, in the EPA review process, they can include an
17 additional what they call database deficiency uncertainty
18 factor. Those are the kinds of things EPA can use to act
19 without--with a deficit in the database.

20 So, for example, there is a compound in my reviewing
21 it in getting ready to appear, where there was a chemical
22 called cyhexatin, which is an organo tin compound. Many, many
23 years ago it had been forbidden for all uses in the United
24 States. It still had many tolerances, I think 40 or so, 41
25 tolerances I believe. EPA as part of its FQPA review getting

14:20 1 rid of all those tolerances. Somebody raised their hand and
2 said I'd like to keep the imported orange juice tolerance. EPA
3 did an analysis, determined the risk cup--I think that's
4 familiar terminology by this point--that the risk cup was not
5 full. In that case it was 35 percent full for children and
6 needed more data, though, to make the final determination. EPA
7 then granted a time limit tolerance to then in addition to
8 adding a "database uncertainty" to make up in their analysis of
9 the risk cup in order to allow time for that product to still
10 be potentially present as a residue in imported orange juice.

11 I'm sorry, that's an example of where EPA would use a
12 database uncertainty factor to add to the need and allow time
13 to develop the data.

14 Q. But they did not do that; is that right?

15 A. Not here they did not.

16 Q. And they did not issue a tolerance between 2002 and
17 2006--

18 A. No, they did not.

19 Q. And as the documents say and as Mr. Johnson and
20 Mr. Thomson said, they knew that the EPA would not do that
21 because the Plant Metabolism Study was still outstanding; is
22 that right?

23 A. It appears that that was their understanding that
24 EPA--they weren't going to get a positive--you know, they
25 weren't going to get a decision from EPA at that time, that's

14:21 1 correct.

2 Q. Thank you.

3 Now, since we are on the topic of a Plant Metabolism
4 Study, can I--I just want--Mr. Johnson gave a good summary of
5 what that--how one undertakes that, but I want to get an idea
6 of the basic purpose.

7 Now, plant Metabolism Study is also known as nature of
8 residue study; is that right?

9 A. I think it's been referred to that among other things.

10 Q. It's a residue chemistry study?

11 A. It's a chemistry residue requirement. That's correct.

12 Q. And the point of collecting residue chemistry data is
13 to assess potential dietary risk in order for the EPA to make
14 the requisite safety finding. Is that right?

15 A. It's to characterize the metabolites in the various
16 forms of the compound that may be expressed in the plant which
17 would then be fed into the dietary risk assessment of the EPA.

18 Q. Now, let's go back to Paragraph 27 of your Witness
19 Statement with respect to an import tolerance because if we go
20 back to that same paragraph, you say that the EPA would have
21 approved a registration and tolerance for lindane in canola or
22 at minimum an import tolerance after fulfillment of the
23 requested data.

24 And again, you set out the conditions, the assumptions
25 on which your opinion is based, prompt submission of the data

14:22 1 in allowing some time for the EPA.

2 And then it could have possibly gotten an import
3 tolerance.

4 Now, you're aware that the EPA never granted Chemtura
5 an import tolerance; is that correct?

6 A. No, they did not.

7 Q. Okay. And you don't make any distinction here with
8 respect to an import tolerance, and I think you said earlier
9 that the data that would have been required for a regular
10 tolerance would have applied for an import tolerance as well;
11 is that right?

12 A. Yes.

13 Q. Okay. So, they could not have had an import tolerance
14 between 2002 and 2006?

15 A. Well, again, remember that the data requirements--

16 Q. Sorry, just if you could answer the question yes or no
17 or maybe, and then explain it so that it's clear for the
18 record.

19 A. Sure. You want to repeat your question then to be
20 more precise?

21 Q. Yes. And you don't make the distinction here with
22 respect to an import tolerance, and I think you said earlier
23 the data that would have been required for a regular tolerance
24 would have applied for an import tolerance as well; is that
25 right?

14:23 1 A. The data requirements are the same, or the
2 findings--to be more precise or most precise, the findings are
3 the same, and so again, for example, in this organo tin
4 compound I just mentioned, the requirements are there for a
5 tolerance all the time. They're always consistent. EPA has to
6 make findings under the law. They can have, for example, I'm
7 just going to pick a number, if there is 50 studies or 20
8 studies or 10 studies that are required, the requirements say
9 you need all of those, but that's really based to try and
10 find--to make the findings under the law. In some cases you
11 might be able to make that finding speaking in EPA's voice, and
12 EPA may be able to make that finding with less than all 10
13 studies or 20 studies or what have you.

14 Q. But the EPA did not make that finding between 2002 and
15 2006, did they not?

16 A. It did not, not in this case.

17 Q. I'd like to talk to you about--well, now that we have
18 talked about that period 2002 to 2006, if we could talk about
19 2006 after the release of the HCH Report, and in your first
20 Expert Opinion at Paragraph 29, you say that you've reviewed
21 the EPA's 2006 Addendum to the RED, and you say my
22 understanding of the context of this document and from other
23 supporting materials indicates that the Registrants had agreed
24 to the cancellation of the registrations before the 2006
25 Addendum was issued. Given this, there was no need for the EPA

14:25 1 to continue or revise its dietary risk evaluation of lindane
2 residues."

3 So, that's your understanding of the context of the
4 2006 Addendum?

5 A. Well, one element of the context, yes.

6 Q. Okay. Context is obviously important. You would
7 agree with that general statement?

8 A. Sure.

9 Q. I don't see anywhere in your first Witness Statement
10 mention of the EPA's 2006 HCH Study that was released in
11 February 2006?

12 A. I don't recall if it's in or not. I believe--

13 Q. I didn't see mention of it.

14 A. I'll take your word for it.

15 Q. I mean--

16 A. I don't recall precisely, but the whole point was that
17 in the point of that paragraph that you're citing in my
18 statement is that at that point once the Registrant leaves the
19 field, they don't continue to have that dialogue with the
20 Agency about whatever the concerns may be, whatever the other
21 additional data, the disputes about the interpretation of data.

22 Q. Okay.

23 A. Basically all that, and I think that's what some of
24 other witnesses described, too. All that, well, you could
25 continue, I guess, but there is absolutely no need to on the

14:26 1 part of either the regulator or the regulated.

2 Q. Okay. You were aware that the HCH Study existed when
3 you wrote your first Witness Statement; is that right?

4 A. Yes, because that's decided even in the Addendum.

5 Q. In the Addendum.

6 And were you aware at the time you wrote your first
7 statement that Chemtura had not yet withdrawn its registrations
8 for existing uses on canola?

9 A. I'm sorry, just say the question again.

10 Q. Were you aware at the time you wrote your first
11 Witness Statement--Expert Opinion that Chemtura had not yet
12 withdrawn its registrations for existing uses on canola at the
13 time the HCH Study came out?

14 A. Well, all their registrations were still valid at the
15 time of the HCH registrations as well as their pending
16 applications.

17 Q. Hence, you must have been aware that they had not yet
18 withdrawn it; is that right?

19 A. I don't recall precisely. I'm trying to be precise
20 here on the record, but the focus of the '06 RED Addendum was
21 the '06 Registrations that were still valid as of that time.
22 Canola was still pending, so that's the only reason I'm
23 hesitating. I'm trying to--

24 Q. Sure.

25 A. --be difficult.

14:27 1 Q. If we could--if I could point you to the HCH Study,
2 which is at Tab 16 of that binder on your desk, it is also at
3 Tab 16 of Dr. Goldman's First Report. The HCH Study. If we
4 could just turn right to Page 50.

5 A. Okay.

6 Q. Actually, I'm sorry, if we could turn right back to
7 Page 3.

8 A. Something tells me I will mark Page 50 for later use.

9 Q. Yes. Just go back to Page 2.

10 The first full paragraph, "As a result of the Agency's
11 continuing review of lindane, the Agency initiated the
12 preparation of this document. This document presents EPA's
13 revised Assessment of risks related to the continued
14 registration of the insecticide lindane, also known as gamma
15 HCH."

16 So, this document represents the EPA's continuing
17 review of lindane which obviously had continued on since the
18 2002 RED was published; is that right?

19 A. It's representing, as the title says, it's an HCH and
20 lindane together. As part of the continuing jacket, EPA refers
21 to the data file as a jacket. It would be part of the lindane
22 Assessment.

23 Q. Okay. And this is the revised Assessment of risks as
24 related to continued registration of lindane. That's what it
25 says; right?

14:29 1 A. That's what it says. I would not really call it a
2 revised Risk Assessment in terms of the parlance of the
3 program. Because, for example, in the earlier document, in the
4 '02 RED, you have got precise quantification of risk. You have
5 got calculations supporting it about the risk cup and so on and
6 so on. You don't see any of that kind of discussion here.

7 Q. So, when the EPA says here this document presents
8 EPA's revised Assessment of risks related to the continued
9 registration of the insecticide lindane, you don't think they
10 actually mean that's what this document is?

11 A. I would say as part of the continuing Assessment of
12 the risks of lindane and HCH-related isomers.

13 Q. Okay. So, it means what it says?

14 A. I'm not sure that's quite the same, but we just may be
15 parsing words.

16 Q. Okay. Well, let's turn to Page 50. In the top
17 Section 5, it says "additional concerns and information
18 request."

19 "Additional concerns related to lindane and the HCH
20 isomers have been raised in public comments on the Lindane RED
21 and Risk Assessments"--I will skip the docket numbers
22 parts--"and comments on the draft NARAP. The Agency would like
23 to obtain additional information from the public specific to
24 the topics listed below as it makes its final determination on
25 lindane."

14:31 1 So, this indicates that there has been no final
2 determination with respect to lindane use in the United States;
3 is that right?

4 A. At that time, yes.

5 Q. Okay. And it lists out five different areas of
6 information and concern that the EPA has, including infants'
7 exposure to lindane in breast milk, cancer classification, 10
8 times FQPA safety factor, cultural practices, and potential
9 impacts to subsistence populations, and liver effects; is that
10 right?

11 A. Those are the headings they are inviting comments on,
12 that's correct.

13 Q. All right. Can we flip forward to the Addendum to the
14 RED, which is going to be at Tab 18 in that binder of yours.
15 And just for the record, it's also at Dr. Goldman's Second
16 Report at Exhibit 32.

17 A. I'm there.

18 Q. Great.

19 Could you turn to Page 13.

20 A. Okay.

21 Q. And I'm going to read--I'm looking at the last
22 paragraph in Section C, which is entitled "Infant Exposure to
23 Lindane From Breast Milk and Resulting Risk," and the first
24 sentence of that paragraph says, "There is a dearth of
25 long-term studies of the effects of infant exposure to lindane

14:32 1 in breast milk," et cetera, et cetera, and then the last
2 sentence in this paragraph is, "However, EPA believes that,
3 because of lindane's prior detections in breast milk, its
4 physio-chemical properties, and its continued presence in the
5 diet, the potential for adverse effects to infants from
6 consumption of breast milk cannot be dismissed due to a lack of
7 data."

8 Do you see that?

9 A. I do.

10 Q. If you could flip back to Page 3 of the Addendum.

11 A. Of the Addendum.

12 Q. Second paragraph on that page.

13 A. Okay.

14 Q. And the third sentence starts off, "EPA believes that
15 dietary exposure to lindane from the seed treatment use may
16 pose a risk to nursing infants who consume breast milk
17 contaminated with lindane. EPA, however, is not able to
18 quantify that risk at this time or determine whether current
19 exposures result in any harm."

20 Do you see that?

21 A. Yes, I do.

22 Q. So, in July 2006, at the time this document was
23 written, the EPA was not able to quantify the risk with respect
24 to lindane in breast milk; is that right?

25 A. Yes, and that would not be necessarily unusual.

14:34 1 Q. Okay. And it was at this time unable to determine
2 whether current exposures would result in any harm; is that
3 right?

4 A. They concluded in the earlier documents--

5 Q. I'm sorry, I'm asking you right here, not the earlier
6 document. Right here, July 2006.

7 A. I'm talking about the response to comments that they
8 had in light of the comments they invited on these issues, I'm
9 sorry.

10 Q. Go ahead.

11 A. Say what it says, yes.

12 Q. I don't want to take--

13 A. Go ahead. You may need to repeat the question because
14 I butted in, I apologize.

15 Q. I'll repeat it. And it was at this time unable to
16 determine whether current exposures would result in any harm;
17 is that right?

18 A. Correct.

19 Q. Okay. So, this is in July 2006.

20 A. That's the date of document, yes.

21 Q. Now, I take it that if the EPA in July 2006 was not
22 able to quantify the risk with respect to infants' exposure to
23 lindane, and the EPA was not able to determine whether current
24 exposures would result in any harm, I take it you would not be
25 able to make that determination either, would you?

14:35 1 A. You mean me personally?

2 Q. Yes, you personally.

3 A. No, I could not, but the record--this information and
4 the same fact that it can't be quantified is the same comment
5 they have--I'm sorry, the same conclusion they have in response
6 to comments in the earlier iterations of the Risk Assessment
7 that are in the docket behind the lindane molecule. This was
8 about the--well, as we have seen the chronology and the history
9 goes very far back, but you've got in the RED process, you have
10 got a preliminary Risk Assessment, a refined Risk Assessment,
11 and then this document. In fact, that is one reason they don't
12 really call it--they call it revised again, and there's
13 comments on each of those phases in the record. In fact, in
14 Dr. Goldman's second statement there is some attachments that
15 talk about the comments--I'm sorry, what the Agency calls
16 response to comments.

17 And so, earlier in those other response to comments,
18 they take into account these kind of considerations and say
19 that we can't quantify the risk, and so, for example, in one
20 earlier round of comments they say, but we thought about all
21 that when we looked at the data, when we did our '02 RED
22 Assessment, and, in fact, found that risk to children, infants
23 and children are acceptable.

24 At another time in the later round of response to
25 comments before the '02 RED, they expressly say that when the

14:36 1 breast milk issue was asked for in comments, and in my
2 statement I make a--because it's only a passing reference in
3 the '02 RED, in my second statement I make I cite that passing
4 reference about breast milk, and the response to comments there
5 before the '02 RED conclusions, they say specifically in light
6 of a comment from the public that you didn't appropriately look
7 at breast milk residues and potential exposure. They say this
8 phrasing about we can't quantify the risk, but we conclude
9 that, in light of the studies that we looked at and the
10 additional safety factors under the law that there is no--that
11 the--let me be precise.

12 The analysis is protective of nursing infants, and
13 they use that specific phrasing, they say protective of nursing
14 infants.

15 So, they thought about all these things, and they may
16 have changed their mind by now. That's absolutely true. And
17 that's one of the issues, as I say in my statements they would
18 have to be engaged with with the Registrant, but again, that's
19 just--dialogue back and forth just never occurred.

20 Q. So, you said a lot of useful information there, but I
21 want to go back to make sure that your answer to the question
22 was clear. I said, I take it that if the EPA in July 2006 was
23 not able to quantify the risks with respect to infants'
24 exposure to lindane, and the EPA wasn't able to determine
25 whether current exposures would result in any harm, I take it

14:38 1 that you also would not be able to make that determination
2 either, would you?

3 A. Me personally, no.

4 Q. You personally. And you said no.

5 Now, if you would not be able to say with certainty
6 whether there is a risk of harm, you also can't say with
7 certainty whether the EPA would or would have found an
8 acceptable amount of risk that would enable it to issue a
9 tolerance for lindane use in canola, can you?

10 A. They could still issue a tolerance by saying--after
11 explaining to the public why you're issuing this tolerance in
12 light of whatever considerations, whether they raised them or
13 outsiders raised them in public comments, and they would then
14 say, for example, things that they could add, additional
15 uncertainty factor, and they have done that in other cases,
16 it's partly their evaluation of the data, partly their policy
17 choices, but when I described in my statements a path forward,
18 those are the kind of things that decision-makers would be
19 faced with when they would be brought the full set of facts and
20 analyses for determination.

21 Q. And do you know how long it would take for that full
22 set of facts and analyses for the determination, how long the
23 path forward would be?

24 A. It can vary greatly, even very complicated cases can
25 sometimes if they have to be resolved, for example, in the

14:39 1 scenario that someone discussed here about trade irritants,
2 there is just a need--again, Mr. Johnson, I think he described
3 that as the potentially action forcing event, that, in fact, to
4 solve a trade irritant, to address a trade irritant, you have
5 to act quickly.

6 In some cases, and especially even in realtime, you
7 find a case of contamination, you find a material where you
8 didn't think it was before, and the first thing you have to do
9 is at least do a quick analysis to make sure there is no
10 imminent harm to the public. That's not this case--I don't
11 want to try and represent that--it could be done quickly, but
12 the record will indicate nothing here was done quickly.

13 Q. Okay. So, that sounds like there is a lot of
14 uncertainty as to how long it would take, how complicated it
15 would be, what the results would be and so on.

16 A. There are many issues that would have to be dealt
17 with. Again, as I think Mr. Thomson may have indicated, and
18 then that's when the company decided there would be some other
19 paths they could take in light of all the facts they saw at the
20 time.

21 But again I was not--as you indicated, I was not
22 working for Chemtura at the time or any other time.

23 Q. So, given all of that uncertainty, you can't say with
24 any certainty if and when the EPA would have ever issued a
25 tolerance for lindane use in canola--

14:40 1 A. Absolutely.

2 Q. Is that right?

3 A. I'm sorry.

4 Q. I'll ask the question again. Given that level of
5 uncertainty, you cannot say with certainty, and the first
6 uncertainty is referring to the studies, the analysis, the
7 possible results of a study for lindane in breast milk, for
8 example, and seems they were going broader than that, taking
9 all that into consideration, you cannot say with certainty if
10 and when the EPA would have ever issued a tolerance for lindane
11 use on canola in the United States, can you?

12 A. Not with absolute certainty, no.

13 Q. Thank you. I don't have any more questions.

14 PRESIDENT KAUFMANN-KOHLER: Any redirect questions,
15 Mr. Somers?

16 MR. SOMERS: Thank you, Madam Chair.

17 REDIRECT EXAMINATION

18 BY MR. SOMERS:

19 Q. In your response to questions from Canada, Mr. Aidala,
20 you mentioned that there were, in addition to the hypothetical
21 that was contained in your first statement, there were means or
22 ways that could present a way forward to the company, even in
23 the absence of the immediate availability of the data that the
24 Agency had required.

25 A. Yes, that's correct.

14:42 1 Q. And I'm wondering if you could present the other of
2 those options, please.

3 A. As I said in the questioning, there is a number of
4 ways EPA can deal with data deficiencies. There is, in some
5 sense, and almost in sort of casual parlance, EPA is never
6 satisfied. There is always a need for more information. You'd
7 always be improved, you'd always want more information. Why
8 not? These issues are usually data rich, and you can see, as I
9 mention in my statement, there is, I think it's a 40-page-plus
10 bibliography, and there is a long two-page list of the EPA
11 Assessments alone on this material going into the '02 RED.

12 So, was there a fundamental bar in the '02 RED at that
13 time frame, and the answer is, okay, they had data
14 deficiencies, certainly for an import tolerance alone, plant
15 metabolism, and for a registration they had a few other
16 registration-related issues or data gaps. This is not unusual
17 for many, many compounds. Again the example I mentioned
18 before, this material called cyhexatin, it was neurotoxicity,
19 and a metabolite that they hadn't characterized and some other
20 things, and they allowed time, give it tolerance and allowed
21 time to develop the data, number one.

22 Number two, you would increase--and in that case, for
23 example, they said there is a database uncertainty factor, an
24 additional safety factor because regulatory agencies are very
25 typically, if you will, need to make decisions in various time

14:43 1 frame, and so what EPA could have done here, for example, and
2 especially because the risk cup was empty--I shouldn't say
3 empty, fairly roomy, it was 17 percent of the risk cup after
4 all their calculations because calculations included
5 conservatism, including things like in light of the missing
6 Plant Metabolism data, what they called a total--TRR. If you
7 look in the heading of the tables, total radioactive radio
8 residues, there's conservatisms built in that could lead to
9 them to make a case if they were to approve a tolerance that
10 would be the justification, that would be their rationale, that
11 would be their explanation of how it met the standards of the
12 law.

13 So, they could add these extra safety factors if they
14 needed to act more quickly, among other methods, or just allow
15 a time-limited one because they were secure enough, given the
16 risk cup calculations at that time.

17 Q. So, in other words, the missing study, the missing
18 Plant Metabolism Study or the one that only arrived years later
19 after the '02 RED, was not an obstacle to the issuance of the
20 tolerance that an alternative would have been what you've just
21 described?

22 A. Correct. There are alternative ways to get to a
23 positive determination, that's correct, in my opinion.

24 Q. Thank you.

25 MR. LUZ: I'm sorry, may I follow up just on that?

14:45 1 PRESIDENT KAUFMANN-KOHLER: Yes, you may.

2 RE-CROSS-EXAMINATION

3 BY MR. LUZ:

4 Q. I believe I heard you say, Mr. Aidala, one of those
5 options was a time-limited import tolerance; is that right?

6 A. That's a tool that the Agency has.

7 Q. Okay. And you were here last week when I asked
8 Mr. Johnson if Chemtura had asked for that time-limited
9 tolerance, and I believe his words were, we were constantly
10 calling the EPA trying to get them to move with respect to the
11 time-limited import tolerance.

12 And when I asked him, did the EPA ever give a
13 time-limited import tolerance, do you recall what he said?

14 A. Well, no, they never got a time-limited tolerance. I
15 believe that's what he said because that's what happened.

16 MR. LUZ: Thank you.

17 PRESIDENT KAUFMANN-KOHLER: I have no questions, so
18 that allows us to close your examination, Mr. Aidala. Thank
19 you very much for your explanations.

20 THE WITNESS: My pleasure.

21 (Witness steps down.)

22 PRESIDENT KAUFMANN-KOHLER: I suggest we take 10
23 minutes--not more--and then we can start with the examination
24 of Dr. Goldman.

25 (Brief recess.)

14:51 1 PRESIDENT KAUFMANN-KOHLER: Good afternoon.

2 THE WITNESS: Good afternoon.

3 LYNN GOLDMAN, RESPONDENT'S WITNESS, CALLED

4 PRESIDENT KAUFMANN-KOHLER: You're Lynn Goldman?

5 THE WITNESS: Yes, I am.

6 PRESIDENT KAUFMANN-KOHLER: You're a pediatrician and
7 epidemiologist, and you're a Professor and you're focusing on
8 environmental health policies; is that right?

9 THE WITNESS: Correct.

10 PRESIDENT KAUFMANN-KOHLER: And you have been a senior
11 official with the EPA during certain time periods that we are
12 interested in?

13 THE WITNESS: That's correct.

14 PRESIDENT KAUFMANN-KOHLER: You have given two expert
15 reports.

16 THE WITNESS: That's right.

17 PRESIDENT KAUFMANN-KOHLER: And as you have heard
18 before as an expert, you are under a duty to make only such
19 statements that are in accordance with your sincere belief.

20 THE WITNESS: Yes.

21 PRESIDENT KAUFMANN-KOHLER: Could I ask you to confirm
22 this into the record, please.

23 THE WITNESS: I'll read the declaration. I'm aware
24 that I'm heard as an expert witness in this arbitration, and
25 that I am under a duty to make only such statements which are

15:02 1 in accordance with my sincere belief.

2 PRESIDENT KAUFMANN-KOHLER: Thank you.

3 So, I will turn first to Respondent's counsel. To
4 whom do I give the floor?

5 MS. BEHARRY: Thank you, Madam Chair.

6 DIRECT EXAMINATION

7 BY MS. BEHARRY:

8 Q. I only have one question for the time being, and that
9 is, Dr. Goldman, do you adopt and affirm the statements that
10 you provided in this matter?

11 A. I do.

12 PRESIDENT KAUFMANN-KOHLER: Fine.

13 Mr. Somers.

14 MR. SOMERS: Thank you, Madam Chair.

15 CROSS-EXAMINATION

16 BY MR. SOMERS:

17 Q. Good afternoon, Dr. Goldman. My name is Greg Somers,
18 and I'm asking some questions this afternoon just on behalf of
19 Chemtura.

20 A. Hello.

21 Q. Bear with me. Interactions of several U.S. statutes
22 all at the same time can be fairly complicated.

23 A. Tell me about it, yeah.

24 (Laughter.)

25 Q. And so I'm going to have to ask you to help me

15:03 1 understand something. In the REDs, I'm looking at your--and I
2 think I'll mainly be referring exclusively to your two expert
3 reports, the one in October and the one from July. Right now
4 I'm looking at the October, the red one. At page 12--

5 PRESIDENT KAUFMANN-KOHLER: Can you speak into the
6 microphone.

7 Q. Sorry.

8 A. I'm there on page 12.

9 Q. Thanks. I'm looking at the section that says--it's
10 Paragraph 25, and 10 lines down, "EPA went to considerable
11 lengths to discuss its uncertainty about whether under the
12 fairly new standard in FQPA, it needed to incorporate exposures
13 from lindane pharmaceutical use into the aggregate risk
14 analysis and invited comment on this point.

15 Now, as I understand it, in the 2002 RED, it did not
16 aggregate those risks; is that right?

17 A. I think that the way I interpret it is that EPA was
18 grappling with that as an issue, and that, in fact, my belief
19 is that it continued to grapple with that issue until 2006,
20 probably early 2006, from what I can tell from my read of the
21 record and the materials that have been submitted to this body,
22 and so that at this point in time it asks for comment, and
23 that's what I said.

24 Q. Okay. Well, it may be, but I guess I didn't get it.
25 It had to issue a re-registration eligibility decision in '02,

15:05 1 so it had to make a pro tem for now finding on whether
2 aggregate those risks as well as soliciting views as you just
3 said.

4 A. Did not have to issue the RED in 2002.

5 Q. No, that wasn't--

6 A. It did not have to do that, no.

7 Q. But it did?

8 A. It did.

9 Q. And it--in the 2002 RED it said the existing
10 registrations are eligible for re-registration. In order to do
11 so, it would have had to make an interim call on whether to
12 aggregate those risks or not, wouldn't it?

13 A. No.

14 Q. Oh.

15 A. Because the registration decision is a decision under
16 FIFRA, the Federal Insecticide, Fungicide and Rodenticide Act,
17 and the decision about a tolerance, the food standard, is under
18 a different statute, the FFDCFA, the Federal Food, Drug and
19 Cosmetic Act. And the specific provision related to the
20 aggregation of risk from multiple uses was only for the food
21 standard, not for the registration/tolerance.

22 So, the thing in the 2002 RED is that it said under
23 FIFRA the seed uses would be eligible, would be eligible, but
24 then it went on to say that they were not yet able to determine
25 whether tolerances could be granted, and so--and that's I think

15:06 1 what I was trying to convey.

2 Q. Okay. That's fair.

3 And all I was trying to ascertain was, in deciding
4 whether re-registration of the existing registrations was
5 available, did they aggregate pharmaceutical risk in with
6 agricultural?

7 A. They wouldn't--there is no aggregate risk standard
8 under FIFRA, so they would not need to do that for
9 re-registration, and they did not address it. They simply said
10 they would need to address that in the future and ask for
11 comment.

12 Q. Thank you.

13 Moving on in your statement to Paragraph 26, and I'm
14 looking at the second last sentence in that paragraph, and I
15 will read that into the record: "If the Agency identifies
16 other substances that share a common mechanism of toxicity with
17 lindane, then the cumulative risks of these chemicals will be
18 considered. Indeed, public comments directed to this issue led
19 the EPA to complete a more comprehensive Assessment not only of
20 lindane, gamma HCH, but also the related inextricably alpha and
21 beta isomers.

22 Now, with that sort of in mind, I'll ask you to turn
23 to Tab 17 of your Second Expert Report, and I guess I just
24 wanted to clarify that I understand what--

25 A. What I have there is the memo from Mark Howard.

15:08 1 Q. Yeah, yeah. It's subject, Agency response to Phase
2 III comments on lindane.

3 A. Okay.

4 Q. And as I understand it, this document is exactly what
5 the subject line says it is, of course. It was what went into
6 the conclusions reached. It would have been a cornerstone to
7 the conclusions reached in the 2002 RED.

8 Under Tab 17, it's a few pages long, but I'm looking
9 for Page B 7.

10 A. Okay. I'm there.

11 Q. Six, I'm sorry. B 6.

12 A. Okay.

13 Q. It's Appendix B.

14 A. Okay.

15 Q. And I guess I'm trying to get at the at least the
16 position as of 2002 was that the linkage between the alpha and
17 beta isomers and the gamma or lindane, which is really what we
18 are concerned with here.

19 In the second public comment at Appendix B, which is
20 Page B 6 of Tab 17 of your Second Report--

21 A. You're looking at the one, two, three, fourth full
22 paragraph on that page?

23 Q. Well, actually, I was looking at the public comment
24 just before that, but--

25 A. And so the third and fourth full paragraphs?

15:10 1 Q. Yes, public comment and had response because that
2 seems to me to address the isomer issue here, and the public
3 comment is one commenter stated that the beta isomer of
4 hexachlorocyclohexane, HCH, should be assessed in the dietary
5 exposure analysis also due to the transformation of lindane,
6 which is the gamma isomer of HCH to beta hexachlorocyclohexane.
7 And the response of HED of the EPA to this is, "HED believes
8 the available data do not support significant isomerization of
9 lindane gamma HCH to beta HCH in the environment. Therefore,
10 HED will not include beta hexachlorocyclohexane in the dietary
11 exposure assessment."

12 So, at least as far as the 2002 RED, when I take from
13 that that they're not inextricably linked for purposes of the
14 dietary Risk Assessment.

15 A. Okay, I need to explain what I meant in what I wrote
16 in my Expert Report, and first I was referring not to the
17 public comments that were received to the draft RED to which
18 this memo alludes in Tab 17. Those were the comments that were
19 received prior to publication of the RED. I was referring to
20 the public comments that were filed to the final RED and that
21 preceded then the creation of the lindane and other
22 hexachlorocyclohexane isomers Report.

23 But second, when I say related inextricably, what I
24 mean is that when you manufacture lindane or the gamma HCH, you
25 always produce the alpha and beta isomers. And, in fact, you

15:12 1 produce a larger quantity of the alpha and beta isomers than
2 you produce of the gamma.

3 So, when I meant chemically that they're inextricably
4 linked, that you can't make the desired product if you made the
5 pesticidal product without making the waste, if you may, the
6 alpha and beta isomers. That's what I meant. And I'm sorry if
7 that was not clear.

8 Q. It is now. I appreciate it. Thank you.

9 In the next paragraph on your first statement, first
10 Report, sorry, the last sentence of it is, "These two issues
11 that were"--sorry, I will back up and give the two issues too.

12 "Second, the Agency stated in the 2002 RED that there
13 is some evidence that lindane may act as an endocrine
14 disruptor. However, further investigation is necessary to
15 ascertain the relevance and impact of such findings on public
16 health. These two issues that were highlighted in the 2002 RED
17 document concerning possible cumulative risk and endocrine
18 disruption, by law, would have had to have been addressed for
19 the EPA to establish the tolerance."

20 We heard earlier, though, that for in particular
21 urgent or pressing situations, additional risk factors could
22 have been built in or uncertainty factors could have been built
23 in to take into account pending data, data that had not been
24 completely received or analyzed yet by the Agency, and
25 tolerance or time-limited tolerance could issue, pending

15:14 1 receipt of that data, if--with those additional uncertainty
2 factors for the data gap, I will say, the Agency didn't have a
3 risk of concern.

4 A. And sometimes that has occurred, and I think we heard
5 a lot today about uncertainty factors. And it depends on what
6 uncertainty factor might be imposed, whether that would
7 quote-unquote work in terms of being able to issue the
8 tolerance, and so how uncertain you are.

9 And so, for example, in the testimony heard earlier
10 today about the difference between the uncertainty factors that
11 Canada used versus the U.S., that additional uncertainty
12 factors for what I would call database deficiencies, databases
13 incomplete, you put additional uncertainty factor can put
14 you--can make the risk cup overflow, if you may, so if you have
15 a risk of 17 percent of the risk cup, you put a tenfold
16 uncertainty factor on it, you're at 170 percent. You're
17 overflowing. And so, that's possible. That, as far as I know,
18 was never requested. I couldn't find anything in the record
19 suggesting that that was a course that people were on with this
20 particular pesticide.

21 Q. In Paragraph 29 of the Report, just a couple down, I'm
22 turning the page to Page 14?

23 A. The back part of--the end of 29?

24 Q. Yeah. I'm looking at the last, I guess, two sentences
25 of it, reading from there. "In the case of lindane, for any

15:15 1 food uses not approved under FIFRA in the re-registration
2 process, EPA also would have revoked existing tolerances. It
3 is highly unlikely that EPA would have granted tolerances for
4 canola seed treatment without also approving the registration
5 of lindane for canola."

6 And I believe you're aware because you were at the EPA
7 at the time there was a trade issue in relation to imports into
8 the United States of canola--lindane-treated canola seed.

9 A. Right.

10 Q. It had been coming in contrary to U.S. law, and
11 various correspondence went back and forth between the
12 stakeholders and the EPA on that issue.

13 One of the sets of correspondence--I'm not sure if
14 it's in your materials or not--was a request from the North
15 Dakota Commissioner of Agriculture for an import tolerance as
16 one option in dealing with this problem. For that to have been
17 granted, it wouldn't have been necessary to have a registration
18 of lindane for use on canola in the United States immediately,
19 would it? Could an import tolerance not have issued absent or
20 pending a registration application?

21 A. By definition, import tolerances are granted in
22 situations for which there is not a registration or a
23 tolerance. If you have a registration, you could have a
24 regular tolerance.

25 Now, in terms of the letter, I believe that was from

15:17 1 Mr. Roger Johnson, who at that point was the Agriculture
2 Commissioner. I don't think that he actually was making a
3 formal request for an import tolerance. I don't remember the
4 exact wording of the letter, but I don't actually think that
5 that was an application for an import tolerance, just to be
6 clear.

7 Q. And if I suggested that it was, I take it, and I
8 certainly apologize for that, because it was not. It was--I
9 believe the word he used was just tolerance, but I wondered
10 if--

11 A. But, I mean, that--certainly that time period
12 certainly would have been the, you know, the crisis or the
13 precipitating event that might have caused people to consider
14 that kind of thing as an option.

15 Q. Right.

16 A. That was not a road taken at the time, but that, you
17 know. The only time--so, I was at EPA for a little over five
18 years, almost five-and-a-half, and the one time that we did do
19 an import tolerance was a residue of a pesticide on wine from
20 France. The entire pesticide did not have a registration at
21 EPA, had never applied--the company had never applied for a
22 registration, and it, you know, was not a risk issue, but it
23 certainly was a trade issue, and we were able to work it
24 through that way.

25 But in my experience, they are very unusual events

15:18 1 that precipitate such a thing, I should say.

2 Q. Thank you.

3 I guess on that issue, I'm looking at Paragraph 46 of
4 your first Report, please. And I guess what you mention there,
5 there were mounting concerns particularly about worker risk
6 justifying the Data Call-In, I see that there, but in respect
7 of an import tolerance, as I understand it, concerns about
8 worker risks wouldn't have been pertinent because--

9 A. Right. The worker risk issue would not have been--it
10 would have been an issue with treating the seed, but not with
11 the tolerance per se.

12 Q. At the bottom of that page, it would be Paragraph 48,
13 you state, "Because lindane was manufactured"--I'm sorry, one,
14 two, three, four, five--six lines down up from the bottom of
15 the Paragraph 48, Page--the sentence beginning, "Because
16 lindane was manufactured outside the U.S., EPA had been able to
17 disregard the risks associated with the manufacture and
18 disposal of the large quantities of waste that were produced.
19 Given that, as noted above, for every tonne of lindane
20 produced, approximately 6 to 10 tonnes of the alpha and beta
21 isomers are also produced." And you've alluded to that
22 already, and the lack of authority for EPA to regulate
23 pesticide manufacture and waste disposal in other countries.
24 Is that not the case today?

25 A. Yes. EPA does not regulate how people dispose of

15:21 1 their wastes in other countries.

2 Q. I guess the reason--and you go on to say, "But
3 international obligations were engaged in the context of U.S.
4 approval of a pesticide contributing to global pollution," et
5 cetera.

6 A. Well, as well as our own--okay, we have Alaskan
7 populations that are exposed to that global pollution in
8 particular.

9 So, there is also a subpopulation, if you may, that's
10 fairly sizable within the U.S. that's going to be on the
11 receiving end of that that has to be considered as well, and I
12 think that EPA was over this time period between 2002 and 2006
13 increasingly considering.

14 Q. I guess what had confused me was you said
15 international obligations were engaged when, in fact, that's a
16 domestic obligation, isn't it?

17 A. It's a domestic obligation.

18 Q. Okay. I was confused by that.

19 A. However, the problem, sir, is that we don't--you know,
20 when you see the exposures occurring in the Arctic, you cannot
21 attribute those exposures to any particular contribution from
22 any specific country, and so it becomes an international issue
23 because of the fact that you really have no way of saying what
24 fraction of that is from the U.S. use, the Mexican use, the
25 African use. Where it's coming from, we don't know.

15:22 1 Q. Jumping ahead now to--

2 ARBITRATOR CRAWFORD: Could I just follow up on that
3 because as a matter of intellectual interest if nothing else,
4 in that situation where this stuff is produced in Romania or
5 India or China and six tonnes of the beta isomer are produced
6 for every one tonne of lindane, how can the EPA take that into
7 account in any decision it's properly called on to make? You
8 say they don't regulate waste disposal in India or China, which
9 is obviously true. So, how could it be relevant in terms of
10 anything that the EPA has to do?

11 THE WITNESS: Very difficult, and so what EPA
12 apparently tried to do because they talk about it a lot, and
13 lindane and other HCH Report, the discussion document that they
14 released, they attempted to get information about the
15 circumstances of that manufacturing process, the processing of
16 the waste, the disposal of the waste, and actually the
17 Registrants attempted to provide information to the EPA to
18 assure them, provide some kind of assurance that this was being
19 done safely, and EPA concluded that they could not be persuaded
20 of that.

21 But I agree with you, and it's the reason, I think,
22 for the POPs treaty and these other international agreements
23 emerging on the POPs because otherwise you kind of have a
24 paradox, which is that you have--right.

25 ARBITRATOR CRAWFORD: But could EPA lawfully say,

15:24 1 because we are not satisfied that this is safely disposed of in
2 Romania, therefore we will not grant an import tolerance?

3 THE WITNESS: They could say that.

4 And so, one thing that EPA did do prior to this time
5 is that EPA had policies related to dioxin formation with the
6 manufacture of certain pesticides, such as 2,4,5-T, that the
7 most toxic dioxin, 2,3,7,8-TCDD is produced, and on that basis
8 EPA not only did not allow the manufacture in the U.S., but
9 stopped the import of all, you know, all use and registrations,
10 so it can do that, but you know, there aren't--you know, it's
11 not an area with a lot of precedent other than the dioxin one,
12 as far as I can tell.

13 ARBITRATOR CRAWFORD: It's a professional deformation
14 of mine.

15 THE WITNESS: No, it's kind of interesting.

16 PRESIDENT KAUFMANN-KOHLER: You can continue.

17 BY MR. SOMERS:

18 Q. On the last bit about the 2,4,5-T, what year was that?
19 Could you tell me?

20 A. Oh, gosh. Well, I have got to think. It must have
21 been around '86 or '87. I mean, I have to--I'd have to go back
22 and look at the record specifically. I know when I was at EPA,
23 I knew it was--I know it was before '88, and the reason I know
24 that is that EPA was destroying the existing stocks of that
25 while I was still there, and the 1988 law took away the

15:26 1 obligation of EPA to do that. There used to be this--I'm going
2 to tell you more about the law than you want to hear, but there
3 used to be this requirement, this indemnification requirement
4 that if EPA canceled a pesticide, it was responsible for
5 disposing of it. And we were still burning T when I was at EPA
6 for the first couple of years, so it had to be before '88, but
7 I don't think it was much before '88. I'm not sure about the
8 exact date, and I apologize for that.

9 Q. The EPA was responsible for disposing of/destroying in
10 a safe manner the leftover pesticides?

11 A. In the old days, yeah, before the '88 amendments.

12 Q. Was that pesticide manufactured in whole or part in
13 the United States?

14 A. That pesticide was manufactured in many places, but
15 certainly in the U.S., and I think our obligation was only to
16 the U.S. Registrants and not the others.

17 Q. And the fact that it was being manufactured in the
18 United States, did that not give you the jurisdictional hook to
19 regulate the dioxin that I think ended up on fruit or something
20 like that?

21 A. Yeah, but we didn't allow it for import, either.

22 Q. No, but I'm talking about the action.

23 A. Yeah.

24 Q. Thanks.

25 In the 2,4,5-T example, when you say dioxin, dioxin

15:27 1 was an impurity in the product, or was it a byproduct of making
2 it?

3 A. It was--well, both. It was both. It was a byproduct
4 of making it that remained in the product, in the technical
5 product as an impurity. In making it, it was a byproduct
6 and--just as actually the alpha and beta HCH isomers, there is
7 teensy amounts of them in the lindane product that's marketed
8 as a pesticide. A hundred percent isn't removed, so the
9 typical process is the chemical is manufactured and then they
10 go through purification steps before you have the pesticidal
11 product that's then formulated.

12 And so at manufacture, it's a byproduct. At the end
13 of the day, it's an impurity. Does that make sense?

14 Q. I think so. I'm going to stretch it a little bit
15 more, if I can.

16 The dioxin that was being regulated is--let me back
17 up. There isn't six to 10 tonnes of dioxin produced for every
18 tonne of 2,4,5-T?

19 A. No. In dioxin land, you're talking about minute
20 quantities that are toxicologically important, you know, so
21 parts per--within the product, probably parts per billion.

22 Q. And the concern of the EPA was the dioxin as I almost
23 said adulterant, as an impurity in the 2,4,5-T, wasn't it?

24 A. Yeah, I'm contributing--you know, contributing because
25 it's very persistent. Another one of these POPs.

15:29 1 Q. Right. And so, 2,4,5-T is whether it's manufactured
2 in the States or imported, it's got some dioxin in it?

3 A. It has dioxin in it.

4 And there doesn't seem to be possible to make it
5 without making dioxin, so that's just the way. I don't know
6 why. I don't know the chemistry. I apologize for that.

7 Q. You and me both.

8 And so, what happened, in either manufacture, domestic
9 manufacture or importation of 2,4,5-T, dioxin is coming in with
10 it, EPA has the authority to regulate the impurity in a
11 pesticide, or that's a herbicide, I guess, but in that
12 pesticide--

13 A. In that case, if they had been able to develop a means
14 to manufacture the pesticide without producing the dioxin? Is
15 that what you're asking me?

16 Q. No, actually--go ahead.

17 A. Would that have been--the EPA might have looked
18 differently upon the risks of the pesticide. Is that what
19 you're asking? They may have. I mean, yeah, it's possible.

20 Q. Oh, okay. Well, just to be clear, I guess what I'm
21 saying is EPA does not have the authority to regulate waste
22 produced abroad in the manufacture of a pesticide. That's
23 really what I'm getting at. And in the dioxin example is not
24 exactly one of those because the dioxin that they were
25 regulating was the impurity of the imported pesticide coming in

15:30 1 on the boat right along with the 2,4,5-T.

2 A. Oh, so you're making the distinction about whether
3 it's imported on the boat or imported through, you know, global
4 circulation, and so back in the eighties, I think, being
5 imported on the boat would be have the only thing EPA would
6 have looked at.

7 I mean, you know, these POPs agreements didn't start
8 being developed until the '90s, and so, you know, the Great
9 Lakes Agreement wasn't until what? '96, '97. The UNECE LRTAP
10 agreement not until, say, '97. So, you know, by the mid-'90s
11 there is definitely a focus on not just being imported on a
12 boat, but the global movement of these contaminants.

13 Q. As I understand it, though, EPA, while working towards
14 jurisdiction or authority over regulating these global trends,
15 doesn't have it, and so at the material time, the time we are
16 talking about, sort of '98, 2002, 2004, in the time period that
17 is material to this, these hearings, the EPA would not have had
18 jurisdiction to regulate out a pesticide based on these global
19 issues that you've just discussed. Not yet anyway.

20 A. Well, so then going back to my Report and what was in
21 the RED, I believe that what EPA was asking for comment on was
22 partly the extent to which they did have such authority or even
23 an obligation, and I believe when you look at the comments that
24 were filed to the RED, that some of the commenters felt that
25 not only did the EPA have the authority, but was, indeed,

15:32 1 obligated to deal with this under their existing statutes and
2 others did not.

3 And so, I mean, you may have your conclusion about it,
4 but I think what EPA was grappling with were those specific
5 issues, and then how those would be relevant to the
6 establishment of tolerances for lindane on these existing seed
7 uses.

8 So, I don't read into what EPA wrote at that time even
9 this response to comment that EPA had made a conclusion. The
10 way I read it is they're continuing to invite public comment on
11 these issues.

12 Q. Fair. Thank you.

13 This is more my ignorance about your statutes of
14 operation. I'm looking at Paragraph 74, fifth line down--well,
15 actually fourth line down, to start at the beginning of the
16 sentence. "She further stated that regardless of the
17 registration status"--I'm in--I'm jumping a bit around, I'm
18 sorry. I'm in the first Report of yours, Paragraph 74. You're
19 describing a letter which is actually tabbed on to your Second
20 Report, the January 12th letter of Ms. Anne Lindsey to Mr. E.L.
21 Moore of North Dakota. In that letter, she stated that in the
22 EPA, Office of General Counsel, the importation of such seeds
23 as described in the Gustafson letter would be a violation of
24 the FIFRA.

25 She further stated that regardless of the registration

15:34 1 status, lindane-treated canola seeds would require either a
2 tolerance or an exemption from tolerance, else the food grown
3 from such seeds would be considered adulterated. I thought
4 tolerances were FFDCA things while treated seeds were FIFRA
5 things.

6 Am I wrong on that?

7 A. Well, hopefully nobody is eating the treated seeds.
8 That's the question.

9 Q. That was my thought, too.

10 A. It has happened, and it's not been good when that does
11 happen.

12 So, I would love to be able to actually look at the
13 letter. Do you know which tab it's on?

14 Q. As soon as I find it. It's I believe it's under
15 tab--bear with me, I'm sorry.

16 Tab 2 of that immense binder on your upper left?

17 A. In this one or in this one?

18 Q. Right.

19 A. So, it's in my first Report.

20 Q. That's right.

21 I'm just advised it's contained at Tab 23 of the joint
22 book, which would be Volume 1 of the joint hearing book,
23 Tab 23. It's also--Exhibit WS-2.

24 A. Yeah.

25 I mean, I may have misspoke because what she said

15:36 1 precisely, which I think is correct, is that the pesticide
2 tolerance or exemption from the tolerance could be necessary to
3 avoid adulteration food produced from such treated seed, and
4 then what I went on to say, I think, in my Report is that,
5 given that EPA had in hand no data about how the treatment of
6 seeds might or might not result in residues in food, you know,
7 Ms. Lindsey wouldn't have had a way of being able to say, you
8 know, you definitely need a tolerance or not.

9 I think--you know, I think she was trying to convey
10 that there was some risk of food being in the market being
11 adulterated as a result of use of these treated seeds.

12 Q. Right. One of the issues in this hearing is there is
13 treated seeds on the one hand. There is product grown from
14 those treated seeds that may or may not contain residues on the
15 other. If they have appreciable residues, the tolerance would
16 be required; is that right?

17 A. Minus--yes. I mean, if it's legal, one of the
18 fundamental principles that, you know, we followed at EPA in
19 regulating pesticides is that legal uses of a pesticide should
20 result in legal food. It would be perverse to have the food be
21 illegal with a legal use, you know. And so, of course, one
22 would have to see that.

23 Q. And so I think I will ask you: Is the language on
24 Page 34 immediately above Paragraph 79 also susceptible to the
25 same--I don't remember what you said--correction.

15:38 1 A. Yeah, it would be, of course, the potential for
2 residues on the food that would be derived from the treated
3 seeds, correct.

4 Q. So, if I could--I will try a redraft to the sentence
5 by you to see if I get it even to this extent.

6 In conclusion, I'm reading from the last sentence of
7 Paragraph 78, "In conclusion, the U.S. closed the border to
8 lindane-treated canola seeds because the pesticide on the seeds
9 were in violation of the FIFRA."

10 A. And the residues on food grown from the seeds could
11 potentially be a violation of FFDCA, so--

12 Q. But--I'm sorry to interrupt you, but the sentence
13 begins, "In conclusion, the U.S. closed the border to
14 lindane-treated canola seeds."

15 A. Right.

16 Q. So, there is no issue about food yet, or at least not
17 as far as--

18 A. The seeds were coming in for planting for food.

19 Q. Right.

20 A. They were coming in for planting for food.

21 So, if you are planting those seeds which are treated
22 with a persistent substance, so would you then have that
23 substance persisting in the field being uptaken into the crop
24 and thereby entering the food supply either directly or
25 indirectly? Which is the point, of course, of all the studies

15:39 1 that EPA wanted in terms of plant metabolism and so forth.

2 Q. I guess I'm being a little more technical in that I'm
3 saying they closed the border because the seeds were a FIFRA
4 violation?

5 A. Correct.

6 Q. They weren't also projecting into the future saying
7 and if these are planted and if they grow and if they're used
8 for food and if the food is produced from them, and if we use
9 the oil in the meal from these and we find residues and there
10 is no tolerance, then there will be a problem. That's not what
11 they did, I'm suggesting. All they did was say, these are
12 pesticide-treated sides, they breached FIFRA because there's no
13 registration for this, border closed. And that's all they
14 really did or had to do?

15 A. That's what we did. We did that, yes. We closed the
16 border to the importation of the treated seeds. We did that.

17 Q. On the basis of FIFRA?

18 A. On the basis of FIFRA.

19 Q. Can I ask you to--could I ask you to turn to
20 tab--okay. I'm looking at--I'm under water. Paragraph 81 of
21 your first Report?

22 A. Right.

23 Q. I will just read from there and then take to you my
24 point. My point is about whether the growers participated or
25 not, and I guess I think they did, but it may be I'm

15:41 1 misunderstanding again. "Second, once CIEL and Inquinosa filed
2 a petition"--I'm reading from Paragraph 81 of the first Goldman
3 Report. "Second, once CIEL and Inquinosa filed a petition for
4 a tolerance for lindane on canola, it was published in the
5 Federal Register for comment. Likewise, the growers could have
6 filed comments at numerous other points most notably in
7 response to the 2002 RED or in response to the 2005 petition to
8 revoke all existing lindane tolerances. In my experience,
9 often grower groups do file such comments either when they are
10 in need of a new pesticidal use or when they wish to defend an
11 existing use that they believe is important to their industry.
12 The canola growers did not write such a letter."

13 Part of the issues in this hearing as well as what did
14 the growers really want, and so that's why I'm boring you by
15 reading from your own words, and also so the record reflects
16 it.

17 Under Tab 17 of your second Report, I'm looking at
18 page--Tab 17, it's that old memorandum, agency response to
19 Phase III comments on lindane again, January 30, 2002. The
20 third page in, A 3, there is an Appendix A that point 3 says
21 "Canadian canola growers and seed treaters comments. Many
22 comments came from canola growers and canola seed treatment
23 businesses in Canada. They urged that lindane be registered
24 for use on canola in the U.S. Currently lindane use as a
25 pre-plant seed treatment on canola is voluntarily suspended in

15:43 1 Canada."

2 A. I see that, yeah.

3 And again, what I was referring to is the comments to
4 the final RED and not the comments during the draft, but your
5 point is taken that the Canadian canola growers certainly
6 wanted the U.S. to register the lindane.

7 Q. Okay. I'm going to get your second Report.

8 Now I'm looking at the Paragraph 8 of your Second
9 Expert Report, and again maybe we are getting--I'm looking for
10 a correction or give an explanation or something. I'm looking
11 at the second complete sentence on Page 5, where it starts,
12 "The March 12 letter was communicating that in the absence of a
13 tolerance for lindane on canola, any lindane-treated seeds
14 entering the food supply would be subject to enforcement under
15 the FFDC." "

16 A. Right. If they happened to enter the food supply, and
17 so--which is not to say that we expected them to legitimately
18 go into the food supply, but it was a warning that if they were
19 inadvertently to end up in the food supply, that it could
20 create a problem.

21 And so, I mean, if we could go to the letter.

22 Q. Sure.

23 That letter is in this one at Tab 1, I believe. It's
24 your and Mr. Herman's letter to Roger Johnson.

25 A. Part of what we are trying to do is provide a little

15:46 1 bit of warning, although the EPA is attempting to provide some
2 flexibility about the enforcement of this importation ban by
3 waiting until June 1st, before having the customs department
4 start to halt the seeds, that there really was no such
5 agreement by the Federal Food and Drug Administration, which is
6 a separate Agency.

7 And the FDA has the enforcement responsibilities for
8 pesticide residues on foods under FFDCA, and so, you know, the
9 enforcement office at EPA over which was Steve Herman, who
10 cosigned this letter, could certainly say we are going to
11 provide some discretion about enforcing FIFRA, but could not
12 make such a commitment with regard to FFDCA. Only the FDA
13 could do that.

14 And if you note, there is a copy to Bob Lake, who is
15 with CFSAN at FDA. He's in the policy office, Bob Lake, who's
16 with the Center for Food Safety and Applied Nutrition at FDA,
17 because we had been in conversations with Mr. Lake about the
18 fact that this was ongoing, that it was a problem, and they
19 were very clear that, well, we understand that, that's your
20 problem, but it's not the FDA's problem, and that if they were
21 to identify any residues of lindane on canola or canola
22 products from canola, that those--that food would be
23 adulterated. So we felt we needed to provide some mention of
24 that in the letter, that we could make a commitment on behalf
25 of our Agency, but not on behalf of the FDA.

15:48 1 Q. I'm looking at the letter, Page 2, third paragraph.

2 A. Yep.

3 Q. And, in fact, you're rather reassuring about residues
4 where you say, three lines, four lines from the bottom, "EPA
5 has consulted with the Food and Drug Administration and
6 believes that the likelihood of harmful residues resulting from
7 this season's use would be exceedingly small. Nevertheless,
8 EPA urges growers if at all possible to plant only seeds
9 treated with U.S. registered pesticide." That's rather gentle.

10 A. I'm glad you feel reassured by it because the purpose
11 of what we were writing was to attempt to reassure people. We
12 were trying to calm the waters, and we didn't want people
13 to--what we were trying to do was ease the transition, was ease
14 the transition between the--what appeared to become a routine
15 practice, whereby these treated seeds illegally were crossing
16 the border into the U.S., were being used to grow product in
17 the U.S., then was transported back to Canada to be pressed
18 into oil and other food products. And we did not want to
19 create a disruption in the food supply, and at the same time we
20 wanted a fairly rapid transition to a situation where what they
21 were doing was legal.

22 So, we were writing this in a way to attempt to be
23 reassuring. And even though FDA wouldn't promise anything to
24 us about what they would and wouldn't do, we were hopeful that
25 they weren't going to, you know, go on some big, you know,

15:49 1 enforcement thing.

2 As a matter of fact, I do think that they did do some
3 checking for lindane in canola products, but I'm not sure
4 whatever happened with that.

5 Q. I can offer a bit of information, not on the FDA
6 checking, but on residues, and I will have to ask for this to
7 be put in front of you so that you have the letter in front of
8 you. It's a letter from the Canola Council to the Canadian
9 pesticide agency. It's Exhibit WS-99. It's not in the joint
10 hearing book, I understand. It's the second to last attachment
11 to this confidential second Affidavit of Wendy Sexsmith.

12 A. What I see here is a letter from JoAnne, I think,
13 Buth, to Mrs. Wendy Sexsmith.

14 Q. Yes, that's it.

15 A. Okay.

16 Q. And at the bottom of the first page, it states,
17 "Residue testing by the lindane manufacturers have shown .0058
18 ppm lindane in canola seed, which as far as I know is not a
19 food, but no detectable residues"--I'm sorry, I will interrupt
20 myself to say it's at Tab 212 of the Joint Hearing Bundle of
21 Volume 6.

22 A. So--does everybody have it? I think what the
23 letter--I have never seen this letter before, but I think what
24 it's saying is that the lindane manufacturers are reporting no
25 detectable residues. That is below the LOQ, the level of

15:52 1 quantification.

2 Q. Right.

3 A. In either the canola oil or the meal.

4 Q. Right.

5 In that circumstance, would canola oil or meal be in
6 jeopardy of being afoul of U.S. FFDCA Law?

7 A. It depends, I mean, and so it depends on two things,
8 one being whether, of course, on review of the study the EPA
9 agrees that it was done properly and agrees with the
10 conclusions. That always goes without saying.

11 And, second, that the metabolites of the lindane
12 aren't in themselves hazardous and aren't in themselves a
13 reason for concern.

14 Now, the only other thing that I had seen relevant to
15 this was later in 1998, an E-mail from a C.P. Yip, who works
16 with Gustafson, saying they did find the lindane in the oil,
17 but, of course, I don't think that EPA was aware of that as
18 well, and there were no data associated with that. It could
19 have been found at below--well, it couldn't have been found
20 below the level of quantitation, but that is in the record as
21 well.

22 Q. Do you recall in addition, here that's right. It
23 depends on the LOQ; right, as to whether--

24 A. They may have had a lower LOQ.

25 Q. Do you recall whether that correspondence indicated

15:53 1 whether it was processed or unprocessed oil?

2 A. No.

3 Q. Unprocessed oil--

4 A. No, I don't think it said that. I just think--I don't
5 think it said processed/unprocessed. I don't remember that
6 adjective being there.

7 MR. DOUAIRE de BONDY: If we could be of assistance,
8 the document she's referring to is at Lynn Goldman Report
9 number two, Tab 2.

10 THE WITNESS: Oh, they're saying extremely low, six to
11 seven parts per billion, so I had not remembered that.

12 BY MR. SOMERS:

13 Q. All right.

14 A. Again, I mean, I'm not sure I would really consider
15 that to be actual data. It's just something in an E-mail. You
16 would have to...

17 Q. I'm going back now to--that was a bit of a tangent
18 into Canola Council's Report, back to your second Report at
19 Page 5, and to that sentence I had read that took us to the
20 March 12 letter, the March 12 letter was communicating, it
21 reads, three lines down from the top of Page 5, that, "In the
22 absence of a tolerance for lindane on canola, any
23 lindane-treated seeds entering the food supply would be subject
24 to enforcement." In fact, in--would any thiamethoxim seeds,
25 treated seeds entering the food supply be always subject to

15:55 1 FFDC A enforcement?

2 A. That's a good question. Thiamethoxim is not as
3 persistent, so I'm not sure that EPA would have been quite as
4 concerned about warning them about FDA finding them
5 potentially.

6 So, one problem with a persistent compound is that,
7 you know, until you have the studies, you might be more
8 concerned about carryover from the seed to the plant to the
9 food supply.

10 Q. I'm sorry, I may have--I have not been clear. Let me
11 come at it another way.

12 Here you say any lindane-treated seeds entering the
13 food supply would be subject to enforcement under the FFDC A.

14 Would that be true whether the lindane was registered
15 in the United States or not? Lindane-treated seeds entering
16 the food supply, the green guys, the stuff that--

17 A. It shouldn't enter the food supply in any case.

18 Q. Exactly.

19 A. Right. That's not food, that's correct.

20 Q. Oh, okay. So, in other words, my question was, any
21 thiamethoxim treated seeds entering the food supply wouldn't
22 be--

23 A. You wouldn't want. That's right.

24 Q. So, I go down to the bottom of the Paragraph 8, five
25 lines from the bottom where it says, "Therefore, EPA had every

15:56 1 reason to be concerned about the possibility that, one,
2 lindane-treated seeds could have inadvertently been entering
3 the food supply."

4 A. Or that crops containing lindane-treated seeds could
5 have contained lindane residues, which could also wind up in
6 the food supply.

7 Q. Right. And it goes to the residue issues we were
8 talking about before. That I follow, but it's the lindane
9 treated seeds of--number one, lindane-treated seeds
10 inadvertently entering the food supply. That would be true
11 whether they're registered or not, that would be a problem.

12 A. Correct. Although if it had been registered,
13 certainly we would still be concerned, but there would be some
14 possibility of small quantities of them going into the food
15 supply and not making the food adulterated. So, you know, by
16 definition, any detectable residue of lindane would have caused
17 the food to be adulterated and therefore unfit for sale.

18 Q. Here we are talking about lindane-treated seeds,
19 though.

20 A. Right.

21 Q. Oh, okay. So, that's not acceptable at any level, I
22 assume?

23 A. Not acceptable at any level.

24 But the point about adulteration is that even if it
25 were a small quantity, you could have wound up with food that

15:58 1 then was not legal food.

2 Q. I appreciate that.

3 I'm going now to Paragraph 10 of your statement, and I
4 will be referring to a memorandum, I believe it is, in the
5 Joint Hearing Bundle Volume 2, Tab 41.

6 A. Which paragraph are you in.

7 Q. I'm on Paragraph 10 of your statement.

8 A. Paragraph 10.

9 Q. Second Report, bottom of Page 5.

10 A. Okay. Oh, yes, this one. I puzzled a lot about this
11 one, actually.

12 Q. I'm certainly not trying--I'm putting my cards on the
13 table. On the one hand, no Agency would ever try to influence
14 another and that sort of thing, and on the other there is this
15 document, and I'm trying--

16 A. Well, in the first place, you know, what I can't
17 understand, I mean, looking at it myself, and I've asked about
18 this, is this a mistake, that these two pieces of paper are
19 together because the fax header doesn't appear to me to go with
20 the next page, and the next page has no fax lines on it. And
21 so, I--this is one of the ones that I was really puzzled about.

22 MR. DOUAIRE de BONDY: We have confirmed this is a
23 mistake. They shouldn't be associated.

24 MR. SOMERS: Thank you.

25 BY MR. SOMERS:

15:59 1 Q. Never mind let's say the fax cover page.

2 A. Let's go to the substance?

3 Q. Let's go to the substance.

4 I'm happy to assume that this wasn't faxed to Anne
5 Lindsey. It's not material to the discussion.

6 A. For all I know, it was. I don't know. I don't know
7 if it was--whether it was faxed or not, anyway.

8 Q. Right. And we can set that aside.

9 First of all, have you read the--

10 A. I have read this.

11 Q. --lindane seed treatment update.

12 Can you either confirm or help me out with the first
13 sentence.

14 A. Well, first and foremost, it must have been--it must
15 have been written by somebody on the Canadian side, somebody in
16 probably the PMRA, I assume from reading this. And then it
17 starts with a sentence that attributes to me a certain
18 commitment to clarify our policy with midwestern states and
19 canola growers. They misspelled my name, but I believe this is
20 probably true.

21 And then they said that we had intended to send out a
22 PR notice, which I know that we did consider such a step. We
23 did consider a PR notice. In fact, it could have been even my
24 idea. I don't know whose idea it was, but you know, when you
25 look back at the record, there had been way back in 1992 a

16:01 1 letter from at that time the Director of the Registration
2 Division, Larry Clean, to the State of Minnesota that clarified
3 for Minnesota whether or not the seed treatments constituted a
4 pesticidal use under FIFRA and whether they were or if they
5 were exempted, and he said, no, it was a pesticidal use, and
6 the importation of an unregistered pesticide is illegal.
7 Therefore, the importation of these seeds would be illegal.

8 And he even harkened back to a 1990 document that said
9 the same thing apparently.

10 And so, you know, we did feel an obligation to be more
11 clear to the growers and to all the State agriculture
12 departments, not just one at a time, what the rules were, you
13 know, so that they could be consistently enforced by our own
14 state partners because most of the enforcement is actually done
15 at that level, by the state ag departments.

16 Q. So the first bullet at the bottom--following the third
17 paragraph or inside the third paragraph is no publication of
18 the current PR notice on the status of treated seeds by EPA. I
19 should have read the header, the resulting proposal has
20 emerged--this is the third paragraph--after follow-up to this
21 issue with both the Canola Council of Canada and EPA staff. No
22 publication.

23 A. I know that the PR notice was not published. I did
24 not personally participate in any of these meetings, and I
25 don't remember, really, being personally engaged in this.

16:02 1 When I have gone back and read through the draft of
2 the PR notice, I think I understand why it wasn't published,
3 but that certainly was a policy decision at that time that was
4 made that it was not necessary.

5 And, frankly, to be clear to everybody, I think by
6 that point in time, everybody did know the policy, so I'm not
7 sure that the PR notice would have been a necessary means of
8 communicating it.

9 Q. Can you help me or surmise or even inform me, if you
10 know, why that would have been part of the proposal?

11 A. Why it would have been part--

12 Q. Not to publish that PR notice.

13 A. Part of what proposal?

14 Q. Well, the proposal that the third paragraph
15 identifies, the resulting proposal has emerged after follow-up
16 to?

17 A. Yeah. I don't know why it's bundled up with these
18 other things. I mean, if we go to the draft PR notice, I can
19 tell you why I think it wasn't published because I think that
20 it opened up.

21 It basically opened up another can of worms that I
22 don't think was an avenue that EPA wanted to take, and I can
23 explain that. It's a little bit tedious because it gets into
24 some things related to FIFRA that, you know, even I have
25 trouble remembering, but after all, it has been more than 10

16:04 1 years, but I would be happy to tell you why I think EPA did not
2 take that path.

3 I mean, I could put it in a nutshell, and that is,
4 that, you know, what it opened up was that the basis for EPA to
5 be able to--EPA had something called a treated article
6 exemption. Treated Article is under EPA Regulations an article
7 that has been treated with the pesticide where the treatment,
8 the pesticide treatment itself is registered as a pesticide, is
9 a pesticide under FIFRA, but a way of EPA deciding whether or
10 not the Article is a pesticide, so you can imagine their
11 Articles and the easiest one to use as an example is a
12 pesticide-treated bed net that they use for controlling
13 malaria. Well, it's an Article treated with the pesticide, but
14 the bed net itself is a pesticide. It is for a purpose of
15 controlling a pest, okay?

16 Take a treated seed. Okay. The pesticide on the
17 treated seed is just a protectant from the seed. It is not--so
18 the pesticide treated seed isn't the pesticide because it's
19 only to protect the seed. You're not using the
20 pesticide-treated seed as a pesticide. If you were, then it
21 would fall under all these other requirements of FIFRA.

22 Now, why it got caught up in this is that the basis of
23 the exemption, though, is that the products not the active
24 ingredient, lindane, but the actual product that's formulated
25 from the lindane has been registered by EPA, and once EPA

16:06 1 started writing all this out for the PR notice, then what
2 became glaringly obvious is there was another problem, which is
3 not only that different active ingredients were being approved
4 in Canada as in the United States, but even if it was the same
5 active ingredients, the Registrants were formulating them into
6 different products. And then would EPA have to go down a road
7 of being able to say not only is it the same active, but it's
8 exactly the same product, and which could then even lead to
9 further conflicts really for no good public policy purpose.
10 There would be no public well-being that would be served. And
11 so, that is why EPA backed away from this.

12 Why it is now--and it could be at a staff level this
13 was tabled as something that could be bundled with all this,
14 but I can tell you that, you know, EPA was not going to issue
15 that PR notice because it was just going to lead down a path
16 that was not going to be a productive one.

17 I'm sorry it's so complicated. It's ridiculous.

18 Q. I think I understood.

19 The second paragraph of that notice--of that update,
20 states, EPA is concerned about the continuing use of lindane on
21 canola in Canada. October 2, '98, the date of the update, that
22 would have been--you were at the EPA at the time? You would
23 have been aware--

24 A. Yeah, I was still there, I had two more months at that
25 point.

16:07 1 Q. All right. So, I will take it up. Again, EPA is
2 concerned about the continuing use of lindane on canola in
3 Canada apparently with a view to seeking cancellation of the
4 use.

5 Could you recall that?

6 A. No, but I could guess that that would be the
7 perception that--I think that it has a little bit more of an
8 edge on it than perhaps the way that I would have put it, and
9 probably at the time we all felt that when we were faced with
10 this issue that it's certainly the easiest solution from the
11 U.S. point of view would have been that the Canadian canola
12 growers would stop using lindane. That would have been the
13 smoothest paths forward from our standpoint.

14 Whether we were seeking cancellation, I think that
15 that's a little bit more of an interventionist interpretation
16 than what we would have done.

17 Q. I understand.

18 A. To be honest.

19 Q. I'm just continuing, "PMRA is not in a position to
20 recommend such action unless there was agreement for concerted
21 action on all Lindane Products with the USEPA."

22 Now, that--I suppose that's a--well, were you aware of
23 that?

24 A. Well, yeah. I mean, we started getting signals from,
25 you know, our interactions with Canada during this time that

16:09 1 both on their end and I think on our end as well, that working
2 together on lindane would be beneficial, and I think that we
3 began thinking about that as a way of being able to address
4 some of the commitments that we were getting into under the
5 LRTAP agreement, that did require that we do a re-assessment.
6 We were both under requirements by the Convention to reassess
7 the lindane uses; and, of course, that eventually lead to a
8 NARAP under the NAFTA.

9 And so, I think that on both ends that we were
10 becoming increasingly interested.

11 By this time we already had lindane as an Annex Two
12 substance in the Great Lakes Agreement under the International
13 Joint Commission, and so we had already recognized kind of a
14 bilateral commitment to somehow dealing with the lindane
15 problem in the Great Lakes. And so...

16 Q. The author, refers, in fact, to that last point I
17 think of yours, well, not the last point in the NARAP, just
18 continuing in the second paragraph, "The consideration of
19 lindane as a candidate for a North American regional action
20 plan under the CEC was identified."

21 A. Yes, I think we actually jointly did identify that as
22 a potential path to take in terms of the CEC work.

23 Q. Right.

24 And as I go down the document into the bullets, no
25 publication of the current PR notice--we have already discussed

16:11 1 that. Voluntary removal is the second bullet of lindane from
2 products registered for canola seed treatment, apparently part
3 of EPA's wish list as well, or the easiest way forward, as you
4 said earlier.

5 A. I think that was actually initiated by the canola
6 growers themselves. I think it was the growers that preferred
7 that as a way to move forward, and I have seen this before with
8 other pesticides where if there is a controversy about the
9 pesticides and especially with the food that has a reputation
10 for being a healthful beneficial food that the growers will
11 want to move away from that pesticide.

12 Q. Oh, I was just recalling that document that we looked
13 at about submissions that the Canadian canola growers put into
14 the--

15 A. I know.

16 Q. In 2002, so I guess maybe there is some dissonance in
17 these two theories. Certainly, the Tribunal has heard what
18 you've just said about growers.

19 A. Well, I don't think it's a theory. I think that it's
20 actually the Canola Council that convened all of the efforts to
21 try to develop this voluntary cancellation plan, so I don't
22 think that that's a theory. I think that that's actually well
23 supported in all of the record.

24 Q. On the third bullet, it states, "commitment
25 between"--now, I just to want recall at the chapeau as we call

16:12 1 it at the top of this list of bullets, the resulting proposal
2 has emerged, so this is a proposal the author is describing,
3 and the third point of it is commitment between the EPA and
4 PRMA to work together to phase out all uses of lindane.

5 Do you recall that?

6 A. No. I wouldn't have even said it that way, but I
7 could see that it's written here on the page. I think that we
8 were committed to an evaluation, and I think that we were also
9 committed to, in a sense, putting it first. But because of the
10 international commitment that we would take a look at lindane
11 before we were looking at the hundreds of other pesticides that
12 we could have been looking at. And if we saw things that
13 needed to be dealt with, that we would deal with it first. But
14 we were not committing, and we certainly didn't--in the context
15 of any of the international agreements, we were not committing
16 to take any regulatory actions before we did an evaluation.

17 You know, under U.S. law, we cannot--we are not
18 allowed to shoot first and ask questions later. We go through
19 a very, very comprehensive, very thorough review, and
20 that's--and that's what we were committed to doing.

21 I mean, I kind of take this as staff notes or notes to
22 the file, and you never know--I have no idea who wrote this,
23 but it certainly isn't something that I approved or saw at the
24 time.

25 Q. That's helpful, thanks.

16:14 1 Certainly the author--no one wanted to take ownership
2 of this as far as the people asked, but the author, when we see
3 the next set of bullets under the heading "Next Steps for PMRA,
4 Internal Use," the first bullet is "Proposal is acceptable to
5 EPA, OPP, and EPA regions." So, presumably the person is
6 representing they have done their homework on the EPA side of
7 things?

8 A. I'm sure, though, that the way people were taking it
9 the way that I understood what we were doing, which was a
10 commitment to do a joint assessment and a commitment to moving
11 that forward, pushing that forward with much more speed than we
12 would have. I'm sure that's how they took it.

13 The people working for OPP and for our regions both
14 were very well aware of the statutory requirements under which
15 we were obligated to work, and they knew that we didn't make
16 commitments to taking regulatory actions before doing required
17 reviews and so forth.

18 Q. I'm sure.

19 I'm going back to your second Expert Report, Volume 1.
20 I'm looking at Paragraph 15.

21 A. The second Expert Report?

22 Q. Yeah, with--the yellow covered one.

23 A. Paragraph 15?

24 Q. Right.

25 And near the bottom of the page, four lines from the

16:16 1 bottom, it states--you state, I guess--"It seems that by 31
2 July 2002, EPA was persuaded that provision of personal
3 protective equipment could mitigate worker risks to some
4 extent." And you reference Tab 12, which is the 2002 RED.
5 "However, there were still worker risk issues outstanding."
6 So, I turn to Tab 12, and I go to Page 57 of that 2002 RED, and
7 there I find the section in the RED called "Occupational Risk."
8 And rather than read all this stuff because it's fairly dense,
9 I will just try to go to the operative or the conclusory parts
10 of the paragraphs because they evaluate--EPA evaluates
11 occupational risk in several different scenarios. This one
12 here we see "on-farm seed treatment dust formulation." The
13 next one will be "on-farm see treatment liquid formulation" and
14 "commercial treatment" and so on.

15 And EPA goes through each of those occupational risk
16 categories and determines whether there shows a risk of
17 concern.

18 A. Yes. So, for the existing registered uses of lindane,
19 EPA concluded that with the application of personal protective
20 equipment that the MOEs were acceptable, and--but there were
21 residual concerns. That's what I said in my Report.

22 And so, for example, if you look at Page 31, which is
23 Table 12 in the RED, then you can see that there is a
24 column--the third column of Table 12. It gives these MOEs.
25 And remember, if an MOE is less than a hundred, then that's

16:18 1 bad.

2 And so, under "crop"--so, they have dealt with wheat
3 and corn, and then you have canola on this table as well. And
4 so, for example, the mixing/loading application of liquid
5 formulation treater closed system for canola, that there are
6 inhalation MOEs that are less than 100 in that table. And also
7 for seed handler, bagger, sower, stacker, again commercial seed
8 treatment, there is one less than 100.

9 And so they have mitigated the concerns other than
10 canola but not canola. They don't talk about it because they
11 did not include canola in the RED. Never having been as
12 registered, it is not re-registered.

13 The second point I would make, and I found this to be
14 unusual, and I don't quite understand why, but two years after
15 the issuance of the RED, they issue a Data Call-In for more
16 worker information, for more occupational studies. And that I
17 cannot quite explain to you, but they do not issue a DCI unless
18 there is a concern.

19 So, those two things are the basis for my concern.

20 Q. On that table, I'm looking at the MOEs that are less
21 than a hundred, and there is--there is a few of them.

22 A. Right. The dermal ones can be--that's where they
23 would try to litigate those with PPE. The inhalation ones
24 perhaps could be, but that gets more difficult.

25 Q. Again, I note that the inhalation MOEs, for example,

16:20 1 if we look at first row, "mixing/loading/planting dry
2 formulation," that the dermal MOEs are under a hundred and,
3 therefore, the personal protective barrier or equipment to
4 rectify those. And the PPE that these include is--include for
5 that first row pesticide respirator and inhalation MOEs are
6 predictably extremely high, and therefore the respirators are
7 effective. The ones where we have an MOE below a hundred, we
8 all see in every single box of the PPE no respirator, so
9 presumably that was the mitigation which the Agency used to
10 conclude in the section that I was in before at Page 57 that
11 there was no risk of concern, and therefore they were eligible
12 for re-registration.

13 A. The interesting thing being, though, that you have
14 already got in the commercial seed treatment scenario a closed
15 system, so they are already working in a closed system, and
16 that is supposed to be, you know, better than using a
17 respirator.

18 So, I don't know what the situation would have been
19 because they did not, you know--I merely said there were
20 residual concerns on the basis of this not being mitigated and
21 the subsequent Data Call-In.

22 Q. In the next paragraph, you also mentioned some issues
23 that you feel are expressed in--

24 ARBITRATOR CRAWFORD: Paragraph?

25 BY MR. SOMERS:

16:22 1 Q. Paragraph 16 of your second Report.

2 And I wanted to--I'm looking at the first--no, second
3 sentence of Paragraph 16, where you state, "As a result"--no, I
4 will take it from the first position.

5 "The EPA was also concerned about dietary risk. EPA
6 concerns about risks to subsistence hunters in Alaska appear to
7 have first been detailed in a document from EPA's Health
8 Effects Division," some cites, Tab 16. "As a result, the
9 revised RED concluded that dietary risk for Alaska subsistence
10 hunters was unacceptable, especially for children," and the
11 cite you give there was Tab 14.

12 So, I go to Tab 14, and I go to Page 3. Now, Tab 14
13 is a memorandum of May 23rd, 2001, "subject: lindane dietary
14 risk and exposure estimate for lindane through subsistence
15 diets for indigenous people of Alaska."

16 And on Page 3 of Tab 14, the last of the text above
17 Table 1, two sentences up, "Comparing this exposure to the
18 lindane cPad of .00016 milligram per kilogram body weight per
19 day reveals that the exposure of the indigenous people to
20 lindane is 6 percent cPad and thus would be below HED's level
21 of concern, even using the adult intake amounts and dividing
22 the milligram per day by 10 kilograms weight of child would
23 amount to certain amount of milligram/kilogram day, which is
24 44 percent of chronic population adjusted dose and still below
25 HED's level of concern."

16:24 1 So, I wondered am I reading you wrong in Paragraph 16?

2 Because it seems to me that they found that Alaska's
3 subsistence hunters' dietary risk was below the level of
4 concern there.

5 A. I need a second here to look at these documents.

6 Q. Sure.

7 (Witness reviews document.)

8 A. What I was referring to that I'm not finding right at
9 this moment is not--what you're pointing to is the assessment
10 from the daily dietary intake, and--alone, but they also looked
11 at that in the context of the body burdens that people have,
12 but I'm not finding that quickly. It's going to take me
13 another minute.

14 I don't know, you can't find the numbers. You pulled
15 them from one of the HED documents, I know I found these
16 numbers, so I may need to--it may be a different tab. It may
17 be a document that's in a different tab. I apologize for that.

18 Q. That's fine.

19 A. But I can't quickly see them.

20 Q. I wanted to make sure I was reading them right.

21 A. But certainly I was quite certain the combination of
22 the dietary intake and the levels in people, but I'm not able
23 to find that.

24 Q. Could I ask you to go to Paragraph 52 of your second
25 Report now.

16:28 1 A. 52?

2 Q. Right, Page 17.

3 I'm reading it in so the transcript reflects what it
4 is we are talking about rather than us reading it silently.

5 "In sum, I do not agree with the Claimant's contention
6 that Chemtura voluntarily canceled its tolerance and
7 registration applications because of a business decision rather
8 than the EPA's risk concerns. Registrants with profitable
9 pesticides will tend to persuade EPA's Pesticide Office to
10 allow them to conduct one study after another in search for
11 scientific justifications to continue registration."

12 What about, though, as an alternative scenario that we
13 suggest to you, a Registrant with an unprofitable pesticide,
14 wouldn't they routinely ask for--to have a tolerance or
15 registration withdrawn rather than incur the trouble and
16 expense and maintenance fees that would otherwise entail, if
17 it's no longer in the--

18 A. Sure. When the 1988 law was enacted, about a third of
19 pesticides were not supported with re-registration fees and
20 went off the market. And one of the things--you know, I don't
21 actually know the motive of the company, but I do know that it
22 appears to me that they were aggressively attempting to
23 maintain their registrations and to secure a registration for
24 canola all the way through the beginning of 2006 when I would
25 look at the record--I mean, 2006. And when I look at the

16:29 1 record--and I see that they were continuing to perform studies,
2 continuing to submit studies, continue to pay consultants to do
3 work for them on this, continuing to meet with the EPA.

4 So--but no, I don't know anything about the finances
5 of the company or, for that matter, the product. I do know
6 that lindane itself probably was not a very expensive active
7 ingredient, and so potentially it could have been quite
8 profitable, but I don't know.

9 Q. It will be a matter for argument, I suppose.

10 I had a question as well on Paragraph 58 of your
11 statement.

12 A. Sure.

13 Q. Well, again, I will take it from the very beginning.
14 You're addressing the point of the likelihood of obtaining a
15 tolerance in 2006, and you state three lines--four lines down,
16 "Occupational risks to seed treaters and farmers for exposures
17 occurring in other countries would not be considered."

18 A. Correct.

19 Q. We talked about that already.

20 "However, occupational risks for workers were involved
21 with handling and processing treated seeds, and foods and the
22 food industry might have been considered."

23 A. Correct.

24 Q. Are you saying that the occupational risk of--

25 A. So, a person who is planting the seeds, if planting

16:31 1 the seeds involved handling them such as they might have an
2 exposure to the Pesticide Seed Treatment, that certainly would
3 be an issue. That would be a FIFRA issue.

4 Q. Okay. The--and so presumably there would be--if there
5 was a concern, then it would have to be addressed with PPE and
6 the usual FIFRA type of--

7 A. If it's possible.

8 PPE is not always a solution. You know, there is a
9 tradeoff between potential benefits of PPE versus the
10 practicality of using it. It's difficult to use it in very hot
11 situations such as you might have in mid summer in the Dakotas.
12 People tend to take it off and--when they are hot and sweaty
13 and working all day, and so--and it can be very uncomfortable,
14 and so--but EPA often can mitigate the risks with PPE, but not
15 always.

16 Q. This is rash of me. They tell you not to do this in
17 cross-examination school, but does the EPA actually try to
18 assess the compliance of the label readers as to whether they
19 will keep their gloves on?

20 A. It--well, EPA has a policy about PPE vis-à-vis heat
21 stress, so EPA does try to think through the issues of the heat
22 stress involved with the work versus the amount of, if you may,
23 heat that would be generated by the wearing of PPEs. So, you
24 can imagine if you're wearing a moon suit and it's 95 degrees
25 outside, you might get hot; and, if you're working, that you

16:33 1 might get even hotter, okay?

2 So, I don't know if you have ever traveled in
3 developing countries, you often see people working in
4 agriculture in--shirtless and shorts with a backpack sprayer
5 on, and not because they want to be exposed, but because it is
6 pretty hot out there. So--but does EPA then go out and assess
7 the compliance? This would probably be an area where there
8 would be--if there were a complaint, a tip, that EPA might go
9 out and look. EPA isn't routinely out there on farms. But if
10 there were complaint about PPE or any of the label requirements
11 or there is some general farm worker protection requirements,
12 EPA certainly would respond. And there have been FIFRA
13 violations, obviously, from that kind of thing. It is a FIFRA
14 violation to break any requirement of the label. If the label
15 says "gloves" and you break it, if you don't give the gloves to
16 your workers, that's a FIFRA violation.

17 Q. Okay. I understand. I didn't put my question
18 clearly. My question was: In the--the EPA determines a risk,
19 it determines a mitigation measure which will offset that risk
20 adequately. That measure is, for example, shirt and gloves.
21 Does it then take an additional step in its risk mitigation
22 assessment and say, "But half the time these people don't wear
23 their shirt and gloves, so we are going to have to either not
24 allow the registration or inject additional mitigation
25 measures" or that sort of thing?

16:35 1 A. So, if EPA believes that it's not feasible, so certain
2 gloves, I mean, I think the EPA would say that's feasible.

3 Q. Okay.

4 A. But what it has to be full scuba? You probably would
5 say no, that's not feasible.

6 Q. Would there be additional mitigation measures instead
7 of full scuba, they could like lower the application rate or
8 re-entry time?

9 A. Might have to cancel the pesticide. I mean, there
10 are--so, there was one that was canceled when I was at EPA
11 called "mevinfos," that EPA had imposed requirement of full
12 PPE--respirators, gloves, shirts, all of that--and there were
13 nonetheless workers being sickened enough to have to be
14 admitted to the hospital, and there were enough of those cases
15 that actually--that pesticide proceeded to a cancellation. And
16 so, it doesn't always--if something is very, very toxic, which
17 certainly I wouldn't say that lindane is in this category--I
18 mean, we are talking about this other agent was a Category I
19 acute toxic substance. Sometimes even full PPE can't protect
20 people. In that case you could have--I mean, you could imagine
21 ways to have people in manufacturing facilities, people weren't
22 getting sick from being exposed to this thing.

23 Now matter what you do with PPE out in the field, you
24 don't get a hundred percent protection, and EPA has a lot of
25 studies about that as well. So, when you say does EPA consider

16:36 1 the practicalities, the EPA has a lot of field studies that do
2 inform. These handlers use--pesticide handlers, and so you
3 have heard about these pesticide handler databases, that's what
4 that's about, to say how much protection do you get? You
5 certainly never get a hundred percent.

6 Was that what you were trying--sorry, I may not be
7 addressing your question.

8 Q. It's all helpful.

9 My question was actually, in addition to PPE and the
10 complications that might arise from that and people's behavior,
11 there are other mitigation measures that are available for the
12 EPA, in fact, that they used in connection with the Lindane RED
13 here in 2002.

14 A. Sure.

15 Q. That would mitigate risks below the level of concern,
16 such as reducing the application rate, was I think the one that
17 was used in the RED in relation to corn.

18 A. Right. That was one of the issues for the canola.
19 The application rate was higher than for the other seeds.

20 Q. Right. And so lowering it--

21 A. Lowering, if it had worked, which I don't know.

22 Q. It was eligible for re-registration--

23 A. For those other seeds, yes, it was.

24 Q. Thank you for waiting.

25 I'm just--I'm trying to recall an issue in relation to

16:39 1 the import tolerances. Where there is a pesticide that's
2 registered for use in the United States for certain uses, like
3 lindane was at the time of the RED that we are looking at, but
4 not for a canola use, it would be possible--it would be
5 possible and, in fact, let me suggest, not uncommon to obtain
6 an import tolerance in connection with that pesticide but used
7 on an unregistered use in the United States. Is that fair?

8 A. You know, in--at the time that I prepared my first
9 statement, and so I got very interested in this issue of the
10 import tolerances because of the fact that I was involved in
11 creating one, you know, and so I did look through the Code of
12 Federal Regulations which, of course, tolerances are
13 regulations, and they are published. They are published in CFR
14 40, Part 180. And I did find that there were six--no, five
15 pesticides on the books with import tolerances. And, actually,
16 I believe that one of those did have some other tolerances
17 established other than the import tolerances, and the rest of
18 them did not.

19 So, I would conclude from that, in answer to your
20 question, yes, it's possible, you know.

21 Q. Fair. Thank you.

22 Thank you very much. I appreciate your candor. Thank
23 you.

24 MR. SOMERS: Those are my questions, Madam Chair.

25 PRESIDENT KAUFMANN-KOHLER: Thank you.

16:41 1 REDIRECT EXAMINATION

2 BY MS. BEHARRY:

3 Q. Dr. Goldman, I have three areas that I would like to
4 ask you very brief questions on. The first is with regard to
5 import tolerances.

6 You're aware that Gaucho was a registered pesticide
7 for use on canola?

8 A. Yes. Gaucho was registered, I think, in 1996. It was
9 a tolerance granted on the canola as well as a registration by
10 EPA.

11 PRESIDENT KAUFMANN-KOHLER: It helps us a lot if you
12 say which Gaucho.

13 MS. BEHARRY: I think it was just called Gaucho,
14 wasn't it?

15 PRESIDENT KAUFMANN-KOHLER: There was 75 and 480.

16 THE WITNESS: I think there were more than one Gaucho
17 formulas, but yeah. Imidacloprid--

18 MR. DOUAIRE de BONDY: It would be insecticide-only
19 Gaucho at that point at the very least.

20 ARBITRATOR CRAWFORD: CS FL?

21 PRESIDENT KAUFMANN-KOHLER: No, it's the 480 and the
22 75 ST.

23 MR. DOUAIRE de BONDY: We're talking about
24 registration in the United States, just to be clear, as opposed
25 to--

16:42 1 THE WITNESS: And in 1996, so it's really prior to all
2 of this issue with the importation of the canola seed, but for
3 some reason has gone through EPA's process.

4 BY MS. BEHARRY:

5 Q. Okay. My second question with regard to import
6 tolerances, does the EPA normally grant an import tolerance
7 when there is a registered alternative to the pesticide for
8 which the import tolerance is requested?

9 A. Not really. I mean, you know, if you look at the
10 circumstances under which the import tolerances had been
11 granted, generally there are things--many of them are things
12 that are not even grown in the U.S.: bananas or the French
13 wine, for example. A lot of us like that and don't want to see
14 that interdicted at the border. But it's usually a situation
15 where also there is some kind of a unique pest control problem
16 on the other end, and that the pesticide that's on the food is
17 needed and would not be needed in the United States.

18 And so--there was no reason why a Registrant would
19 come for a registration for a product that has no project in
20 the U.S. It's hugely expensive to develop the dossiers and go
21 through the whole process, and so they had not done that. But
22 on the sending in of the exporting end, there is a reliance on
23 the pesticide to deal with some special pest problem.

24 I will tell you, policy-wise, when I was at EPA, we
25 didn't like granting them, and the reason for that being that

16:44 1 it required a Special Review very quickly of a bunch of data,
2 rural health data, for a chemical that we had never seen, we
3 were not familiar with. So, it was really kind of an
4 extraordinary situation that would prompt us to grant one.

5 Q. Okay. Thank you.

6 The second area of questions relates to Paragraph 16
7 that Mr. Somers took you to of your statement, the second one.

8 A. Yes.

9 Q. And I just want to give you the opportunity to find
10 the reference. And I was flipping through your exhibits, and I
11 was wondering perhaps whether you were referring to Tab 15,
12 which was a revised dietary risk and exposure estimate for
13 lindane through subsistence diets for indigenous people of
14 Alaska.

15 A. Yeah, I see the number, so I was citing right there.
16 So, I kept looking at 14 and 16, but I guess I made a mistake
17 in the reference.

18 Q. And for the sake of clarity, where in this document do
19 you see this?

20 A. So, I see--if you look at Tab 15, this Page 2, the
21 first full paragraph in the Executive Summary, I certainly see
22 it there. And I see it on the last page, the first paragraph,
23 the only paragraph on the last page, so...

24 Q. What's the page number?

25 A. Page 5.

16:46 1 Q. Thank you very much.

2 My last area of questions relate to the voluntary
3 cancellation of the Claimant's lindane product registrations
4 prior to the release of the 2006 Addendum.

5 Now, based on your experience at the EPA, why do
6 Registrants normally cancel their product registrations?

7 A. Well, we mentioned one reason earlier, and that is
8 they no longer wanted to support the product. That's one
9 potential reason. But in the case of an impending cancellation
10 by the Agency, voluntary cancellation is very common. And so I
11 mentioned mevinfos, which was a pesticide that was voluntarily
12 canceled when I was at the EPA. That was in the context of the
13 preparation of not only kind of a final review of the
14 pesticide, but also all of the work that would need to be done
15 to do a cancellation of mevinfos and all of its related
16 products.

17 And the Registrant was very keen to come in and work
18 out a Voluntary Cancellation Agreement simply because that was,
19 from their standpoint, going to be an easier road to take than
20 going through cancellation proceedings.

21 And when I look at the e-mails that were flying around
22 within Chemtura, the record that I was asked to read, I see the
23 same kind of phenomenon as that 2006 RED Addendum was
24 approaching, that there was a lot of concern on the part of
25 Chemtura to be able to develop this Voluntary Agreement prior

16:48 1 to the issuance of the 2006 RED Addendum. They were very much
2 in a hurry to do that, so much so that they were willing to go
3 for an agreement that wasn't exactly the agreement that they
4 wanted from the EPA.

5 Q. So, in your view, what do you think would have
6 happened to the Claimant's registrations in 2006 if they had
7 not withdrawn them prior to the Addendum?

8 A. Well, I believe that EPA very clearly stated in the
9 2006 Addendum and other statements they made after that time
10 that they felt that lindane no longer met the standard for
11 registration, and I think that they said that point clearly.

12 Q. Thank you, Dr. Goldman. Those are my questions.

13 PRESIDENT KAUFMANN-KOHLER: Thank you.

14 Any follow-up questions?

15 MR. SOMERS: Well, because of that, that's right, that
16 miscitation I got kind of taken off guard there. I wonder if I
17 could follow up with that. I would appreciate counsel pointing
18 out what you meant. It was unlikely for you to pull a number
19 like 138 percent out of the air.

20 RE-CROSS-EXAMINATION

21 BY MR. SOMERS:

22 Q. Turning to Tab 12 of the 2002 RED, which is the 2002
23 RED, and Page 14 of the RED. This is the revised, yeah.

24 At the top of the page--I will just read it in. This
25 is the RED's use of, I assume, that data at Tab 15 and how it

16:50 1 was incorporated into the Re-registration Eligibility Decision.
2 Now, the paragraph relating to that "The child in concern"
3 reads: "The chronic dietary risks based on consumption of
4 traditional foods are generally not a concern. For the most
5 highly exposed subpopulation, children one to six years, the
6 subsistence diet of Alaskans results in lindane exposures that
7 range from 13 to 65 percent of the cPad with the exception of
8 one scenario, which was 138 percent of the cPad," and that's
9 that number about the children. "This exception was for one
10 community where EPA included a number of conservative
11 assumptions discussed below, including the children ate blubber
12 for 365 days per year for six years." That's conservative.
13 And I suggest to you that the 2002 RED thought that was too
14 conservative, and that's why it wasn't flagged as a realistic
15 concern.

16 A. Yeah, but you could also point to things that are not
17 conservative. For example, they don't include the consumption
18 of breast milk and whether those children were breast-fed at
19 all in these Assessments.

20 And so I agree with you: In 2002, you know, they
21 basically had some concerns, but I think the concerns build
22 over time about issues like the presence of lindane in breast
23 milk.

24 Q. No, fair enough. I'm not asking you to agree that the
25 FDA did what you would have done or anything like that, just

16:52 1 that's the basis that it was made.

2 A. Yeah.

3 Q. That's my only observation. Thank you.

4 A. Fair enough.

5 PRESIDENT KAUFMANN-KOHLER: Thank you.

6 Any questions? No?

7 Then thank you very much for your input, and that
8 closes your examination.

9 THE WITNESS: Thank you very much.

10 PRESIDENT KAUFMANN-KOHLER: Thank you.

11 (Witness steps down.)

12 PRESIDENT KAUFMANN-KOHLER: Question to counsel: Are
13 you ready to have a procedural discussion now, or do you still
14 need some time? Fine.

15 MR. DOUAIRE de BONDY: We are ready. Sorry if I was
16 unclear.

17 PRESIDENT KAUFMANN-KOHLER: That's what I understood,
18 yes. And you're ready, too?

19 MR. SOMERS: Yes.

20 PRESIDENT KAUFMANN-KOHLER: Is it off the record? No?
21 Should it be? I don't think so. No.

22 The first question that we have is what is your
23 preference in terms of oral argument, and then from there we
24 could see what we do with Post-Hearing Briefs. You understood
25 the Tribunal would like Post-Hearing Briefs, so then we can

16:53 1 discuss when and how, depending on your preference on oral
2 arguments.

3 Mr. Somers.

4 MR. SOMERS: Post-Hearing Briefs and, did you say you
5 will invite our views on--

6 PRESIDENT KAUFMANN-KOHLER: On the question of the
7 oral arguments, yes, when you would like to have the oral
8 arguments this week or after the Post-Hearing Briefs.

9 MR. SOMERS: After the Post-Hearing Briefs, subsequent
10 thereto.

11 PRESIDENT KAUFMANN-KOHLER: Fine. What is the view of
12 Canada on this question?

13 MR. DOUAIRE de BONDY: Madam Chair, Canada would be
14 willing to follow the same procedure of waiving oral argument
15 this week and having it scheduled after simultaneously
16 exchanged Post-Hearing Briefs.

17 PRESIDENT KAUFMANN-KOHLER: Fine.

18 So, then the next question is: When do we have the
19 session on oral argument? And as the Secretary told you all
20 during the break, the Tribunal had looked at its diaries, and
21 it's no fun. No, it was not that easy of an exercise, but we
22 identified the 17th of December, subject to confirmation
23 tomorrow because there is some rescheduling that has to be done
24 overnight.

25 Are these days--is this an acceptable date on the

16:55 1 Claimant's side?

2 MR. SOMERS: It is.

3 PRESIDENT KAUFMANN-KOHLER: It is as well on the
4 Respondent's side, as I understood before.

5 MR. DOUAIRE de BONDY: Yes.

6 PRESIDENT KAUFMANN-KOHLER: Fine. So we will
7 hopefully be able to confirm this tomorrow.

8 Then that leads us to the question of the Post-Hearing
9 Briefs. Since we will have an opportunity to--you will have an
10 opportunity to speak after the Post-Hearing Briefs, we would
11 have only one round of simultaneous briefs, and then we need
12 to--we will give you tomorrow, I assume, late afternoon a
13 number of indications on the content of the Post-Hearing
14 Briefs.

15 What time limit, and there we are very much in your
16 hands, as long as we have it soon enough to get ready for the
17 oral session? Mr. Somers?

18 MR. SOMERS: By saying you will leave the time limit
19 or time that it's due in our hands, is it a matter for
20 agreement between counsel?

21 PRESIDENT KAUFMANN-KOHLER: I don't know whether you
22 have considered it or whether you have already suggestions. If
23 you do, then you can state them.

24 MR. SOMERS: I'm doing a little bit of mental calendar
25 math.

16:57 1 In light of the fact that oral argument will occur on
2 December 17th, would it--I would propose sometime
3 before--sometime around the beginning of November would allow
4 us adequate time to refine and sharpen everything down very
5 pointedly. That would give--that would amount to something
6 less than--something less than two months. In fact, seven
7 weeks or so.

8 MR. DOUAIRE de BONDY: We were going to suggest
9 October 16th, just as a compromise of about five weeks.

10 PRESIDENT KAUFMANN-KOHLER: You prefer deadlines on
11 the Friday rather than a Monday?

12 Mr. Somers?

13 MR. SOMERS: Our more modest team with a nightmarish
14 calendar would move that out further, if possible. In fact--

15 PRESIDENT KAUFMANN-KOHLER: Can I make a suggestion?
16 Why don't you speak about it between counsel tonight, and then
17 you revert tomorrow with what hopefully is an agreed date.

18 MR. SOMERS: Thank you.

19 PRESIDENT KAUFMANN-KOHLER: Then the last thing we
20 need to discuss now is the continuation and end of this
21 hearing. Tomorrow we will hear the two damage Experts. We
22 have scheduled a little bit more than a day for them. Do you
23 think we will--you had scheduled to start late afternoon--no,
24 no, sorry. Mid morning and go until mid morning, end of
25 morning next day. Is this still your estimate, or not,

16:59 1 Mr. Somers?

2 MR. SOMERS: Our current estimate--this overstates our
3 current estimate. In other words, we believe that a good half
4 day would probably be sufficient.

5 PRESIDENT KAUFMANN-KOHLER: For both you're saying?

6 MR. SOMERS: No, for one.

7 PRESIDENT KAUFMANN-KOHLER: Your cross, are you
8 saying?

9 MR. SOMERS: Yes. Half a day.

10 In fact--yeah, that would be plenty.

11 PRESIDENT KAUFMANN-KOHLER: How does it look on
12 Respondent's side?

13 MR. DOUAIRE de BONDY: Similarly for Canada. We are
14 expecting that the afternoon would be largely sufficient for
15 the cross-examination of LECG, or in our case morning since
16 they are going first. So, it seems likely that we could get
17 through both Experts tomorrow.

18 PRESIDENT KAUFMANN-KOHLER: It seems likely to me as
19 well, to us. I would not release the next morning yet. Let's
20 keep the next morning in reserve just in case so you can plan
21 accordingly.

22 Is this fine with my colleagues? Yes.

23 (Tribunal conferring.)

24 PRESIDENT KAUFMANN-KOHLER: Fine. So, that's
25 confirmed. We may finish tomorrow night. And in case we

17:01 1 cannot, then we can still complete whatever needs to be
2 completed on Wednesday morning, and that includes the
3 Tribunal's directions with respect to the Post-Hearing Briefs.

4 Are there any questions/comments before we close for
5 today on the Claimant's side?

6 MR. SOMERS: None here, Madam Chairperson.

7 PRESIDENT KAUFMANN-KOHLER: Everything is clear on the
8 Respondent's side?

9 MR. DOUAIRE de BONDY: That's fine. Thank you.

10 PRESIDENT KAUFMANN-KOHLER: Fine. So, thank you very
11 much, and have a good evening.

12 (Whereupon, at 5:01 p.m., the hearing was adjourned
13 until 9:00 a.m. the following day.)

14

15

16

17

18

19

20

21

22

23

24

25

CERTIFICATE OF REPORTER

I, David A. Kasdan, RDR-CRR, Court Reporter, do hereby certify that the foregoing proceedings were stenographically recorded by me and thereafter reduced to typewritten form by computer-assisted transcription under my direction and supervision; and that the foregoing transcript is a true and accurate record of the proceedings.

I further certify that I am neither counsel for, related to, nor employed by any of the parties to this action in this proceeding, nor financially or otherwise interested in the outcome of this litigation.

DAVID A. KASDAN